

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

IN THE CIRCUIT COURT OF THE SIXTH JUDICIAL CIRCUIT OF
THE STATE FLORIDA, IN AND FOR PINELLAS COUNTY
CASE NO.: CRC23-03157CFANO

STATE OF FLORIDA,

Plaintiff,

vs.

THOMAS ISAIAH MOSLEY,

Defendant.

_____ /

PROCEEDINGS: COMPETENCY HEARING

BEFORE: THE HONORABLE SUSAN ST. JOHN
Circuit Court Judge

DATE: July 8, 2025

PLACE: Courtroom 2
Pinellas County Justice Center
14250 49th Street North
Clearwater, Florida 33762

REPORTED BY: Charlene M. Eannel, RPR
Court Reporter, Notary Public

Pages 1 - 173

A-P-P-E-A-R-A-N-C-E-S

APPEARING ON BEHALF OF THE STATE OF FLORIDA:

COURTNEY SULLIVAN, ESQUIRE
Assistant State Attorney
Office of Bruce Bartlett, State Attorney
Pinellas County Justice Center
14250 - 49th Street North
Clearwater, Florida 33762

APPEARING ON BEHALF OF THE DEFENDANT, THOMAS MOSLEY:

MARGARET RUSSELL, ESQUIRE
JULIA B. SEIFER-SMITH, ESQUIRE
Assistant Public Defenders
Office of Sara B. Mollo, Public Defender
Pinellas County Justice Center
14250 - 49th Street North
Clearwater, Florida 33762

INDEX OF PROCEEDINGS

PAGE

WITNESSES CALLED BY STATE:**VALERIE MCCLAIN**

Direct Examination by Ms. Russell

5

Cross-Examination by Ms. Sullivan

161

INDEX OF EXHIBITS**DEFENSE**

<u>EXHIBIT #</u>	<u>DESCRIPTION</u>	<u>PAGE REC'D</u>
3	Curriculum Vitae	9
4	Adaptive Functioning Summary	38
5	Treatment Records	31
6	Medical Records from Jail	31
7	SFETC CAT	<u>34</u>
8	Speech Language Evaluation	75
9	Forensic Psychological Evaluation	29
10	AAIDD Manual	103
11	DSM-5-TR	103

P-R-O-C-E-E-D-I-N-G-S

THE COURT: Mr. Mosley is up, correct?

THE BAILIFF: We have to call him up.

THE COURT: He needs to be here in 10 minutes.

THE BAILIFF: Okay. He's here. He's just downstairs.

THE COURT: Let's have him up and in.

THE BAILIFF: Okay.

THE COURT: Good morning. You could have a seat.

All right. We're here in Case Number 23-03157CF. State of Florida versus Thomas Mosley. This is day two of our five-day competency evidentiary hearing for Mr. Mosley.

I appreciate you all making a schedule for me so I can keep track of what we're doing. My understanding is we've got, as far as doctors are concerned, Ms. McClain testifying today, who is present in court.

Does that sound right?

MS. RUSSELL: Yes, Your Honor.

THE COURT: Okay. The schedule that Ms. Seifer-Smith e-mailed in says "other business first." So what other business do we have this morning?

MS. SEIFER-SMITH: Just initially, we have a lay

1 witness, Sarah Franklin, who we would like to call
2 this afternoon.

3 THE COURT: Yes.

4 MS. SEIFER-SMITH: We've requested to have her
5 testify via Zoom. She has health issues that prevent
6 her from traveling. When we spoke with Ms. Ellis
7 last week about it, Ms. Ellis indicated that there
8 was no objection from the State with respect to a
9 link.

10 THE COURT: My preference on cases like this is
11 not to do Zoom, even with an agreement, but with the
12 health consideration out there, do you have any
13 objection to it?

14 MS. SULLIVAN: No, Your Honor.

15 THE COURT: What is she going to be testifying
16 to? Just historical information?

17 MS. SEIFER-SMITH: Yes. I imagine her testimony
18 will be relatively short. I think perhaps a half
19 hour. She was a special education teacher for Thomas
20 in elementary school.

21 THE COURT: Okay. If you would like to send her
22 the link, that would be fine. Jill can give it to
23 you. I don't know it.

24 MS. SEIFER-SMITH: Anything else we need to
25 discuss before we dive in with Dr. McClain?

1 MS. RUSSELL: Yes, Your Honor. We did file
2 copies of three motions yesterday.

3 THE COURT: Yes.

4 MS. RUSSELL: Provided you with courtesy copies.
5 A Motion to Exclude the testimony of Lana Tenaglia
6 based on Daubert, a Motion to Bar the testimony of
7 Lana Tenaglia based on the fact she shredded her
8 notes, and finally a Motion to Exclude Testimony of
9 Michael Railey, a Daubert motion also.

10 THE COURT: I did see those. My goal for the
11 week is to try to get the doctors in and out first
12 thing and not have any delay for them. So to the
13 extent you want those motions heard, I would like to
14 save those for the end of any particular day so we're
15 not -- you know, it's hard to get everybody in here
16 in the room at the same time, which is why it is
17 taking five days. I'm fine giving you the time to do
18 it, but I want to make sure, like, Dr. McClain can
19 get in and out today, and tomorrow the doctors can
20 get in and out.

21 So any motions that are heard, I would like to
22 do them later in the day, okay.

23 MS. RUSSELL: Absolutely.

24 THE COURT: If you want to do those this
25 afternoon, I'm fine doing them this afternoon. I

1 just want to make sure we get Dr. McClain in here and
2 the other witnesses in and out, and then we can argue
3 motions.

4 Does that work for you?

5 MS. RUSSELL: Absolutely. In fact, we can even
6 wait until Thursday morning when we're doing the
7 WHODAS motion with Dr. Railey here.

8 THE COURT: Okay. Anything from the State?

9 MS. SULLIVAN: No.

10 THE COURT: Any other business we need to handle
11 this morning?

12 MS. RUSSELL: Not to my knowledge, Your Honor.

13 THE COURT: All right. And with that, then I'm
14 ready for Dr. McClain, if you are.

15 And if I didn't already say it, Mr. Mosley is
16 present in court this morning.

17 THE BAILIFF: Please stand over here. Raise
18 your right hand to be sworn in by the clerk.

19 (Witness was duly sworn on oath.)

20 THE WITNESS: Good morning, Your Honor.

21 THE COURT: Good morning.

22 You may proceed.

23 MS. RUSSELL: Thank you.

24 DIRECT EXAMINATION

25 BY MS. RUSSELL:

1 Q. Dr. McClain, would you introduce yourself to the
2 Court, please?

3 A. Yes. Dr. Valerie R. McClain. M-C-C-L-A-I-N.

4 Q. Dr. McClain, what is your chosen profession?

5 A. I'm a licensed psychologist in the State of
6 Florida.

7 MS. RUSSELL: Your Honor, may I approach?

8 THE COURT: Yes.

9 MS. RUSSELL: Let the record reflect, I'm
10 showing the witness what's been premarked as Exhibit
11 3, Dr. McClain's resume.

12 BY MS. RUSSELL:

13 Q. Dr. McClain, is Exhibit 3 your resume?

14 A. It is.

15 Q. And that's a full collection of your experience
16 and your education?

17 A. That's correct.

18 MS. RUSSELL: Can we move Exhibit 3 into
19 evidence?

20 THE COURT: Any objection?

21 MS. SULLIVAN: No objection.

22 THE COURT: Admitted as such.

23 THE WITNESS: Thank you.

24 (State's Exhibit 3 was received into evidence.)

25 BY MS. RUSSELL:

1 Q. Dr. McClain, tell me about your educational
2 background.

3 A. I received my bachelor's, master's, and doctoral
4 degree from Florida Tech in Melbourne, Florida. I
5 completed my internship at Portland VA Medical Center in
6 Portland, Oregon, specializing in neuropsychology, Post
7 Traumatic Stress Disorder, and also in rehabilitation.

8 I received my post-doctoral fellowship from the
9 Rehab Hospital of The Pacific in Honolulu, Hawaii,
10 specializing in multicultural issues, rehabilitation, and
11 neuropsychology.

12 Q. What is Psy.D.?

13 A. A doctor of psychology.

14 Q. And how is it different than a Ph.D.?

15 A. So in a Ph.D., there is what they call the
16 dissertation. With Psy.D., it's focused on practicum,
17 meaning that it is focused on actual practical experience.
18 So we do a thesis, and then we have extra practicum that
19 we do in lieu of a dissertation.

20 Q. Have you had any fellowships in forensic
21 training?

22 A. So I received a neurosciences fellowship, and
23 that was related to my undergraduate. I got a
24 scholarship, if you will, for neurosciences fellowship
25 during my undergraduate work, and I studied at the

1 Neuroscience Institute in Portland, Oregon, prior to doing
2 my doctoral work.

3 Q. And what did you do for your doctoral work?

4 A. So my doctoral work was focused on furthering my
5 knowledge of neuropsychology and forensic psychology. I
6 did complete, as I noted, the internship at Portland VA
7 Medical Center. And during that training, it spanned
8 anywhere from debriefing Desert Storm veterans to doing
9 work on malingering with Loren Pankratz and Larry Binder.

10 I also was fortunate to meet Diane Howieson and
11 Muriel Lezak, who were forerunners in neuropsychology and
12 offices that published, so I was fortunate to have good
13 supervision in neuropsychology, but also broad-based
14 clinical work in terms of actually doing therapy,
15 post-traumatic stress groups, and doing reenactments of
16 Desert Storm.

17 Q. Have you given any presentations, Dr. McClain?

18 A. So I try to present annually for the American
19 College of Forensic Psychology, and that's been ongoing
20 for over 20 years. And I try to stay very specific to
21 relevant psychological practices and forensic issues. In
22 psychology, I typically team up with one of my colleagues
23 or two to do ethical vignettes and to focus on forensics
24 and ethics.

25 Q. What about publications? Have you published

1 anything?

2 A. I have. I believe I published to date
3 approximately 10 articles and/or chapters for books.

4 Q. Are you a member of any professional
5 organizations?

6 A. Yes. I'm a member of the Florida Psychological
7 Association and the American Psychological Association,
8 the National Academy of Neuropsychology and the
9 International Neuropsychological Society.

10 Q. Are you qualified to be appointed by the Court
11 as a neutral for competency and intellectual disability
12 evaluations?

13 A. Yes. I'm typically appointed by the Courts and
14 specifically appointed by the Agency for Persons with
15 Disabilities as to matters that would pertain to whether
16 or not a person is competent relative to whether or not
17 they're identified as intellectually disabled and/or
18 autistic.

19 Q. So autism is included in that appointment?

20 A. Autism is included in that. Yes, it's a
21 developmental disability.

22 Q. How many counties in Florida are you on the list
23 for that court-appointed job?

24 A. So I went from being on 20 counties for several
25 years to focusing specifically now on Pasco, Pinellas,

1 Hillsborough, and Polk County. I do maintain offices in
2 Polk County and also in Hillsborough County, but during or
3 post-COVID I tried to volunteer to just catch up with some
4 of the, you know, the queue, if you were, for people who
5 need to be evaluated for ID and autism, and we caught up
6 somewhat. So I chose to basically just focus on four
7 counties. I am on the court-appointed lists in Polk,
8 Pinellas, Pasco, and Hillsborough County.

9 Q. So what percentage of your work is as a
10 court-appointed neutral?

11 A. So my practice has changed over the last three
12 or four years. I do, I would say, probably 70 percent
13 court-appointed work. There is quite a bit of work to be
14 done there, so I just have committed to be available to do
15 the court-appointed work. The other percentage would just
16 represent some confidential evaluations, Risk Protection
17 Order work, psychosexual evaluations, and then I do some
18 capital cases.

19 Q. Have you ever worked for the State?

20 A. So I was retained by the State previously on an
21 NGI case. I'm specifically being retained on one within
22 Pinellas County. Typically, I'm -- I could be appointed,
23 but it would be court appointed. So I traditionally do
24 not, or I'm not requested to do cases from the State.

25 Q. You wouldn't have a problem working for the

1 State if they asked you, would you?

2 A. Not at all. In Polk County, predominantly the
3 State will call me as a second competency-appointed doctor
4 if there's an issue of them questioning whether or not the
5 person is actually incompetent. So I have no problem at
6 all in working with the State or trying to just be as
7 objective as possible.

8 Q. What is a neuropsychologist specifically?

9 A. So a neuropsychologist is a psychologist,
10 essentially, who specializes in looking at brain-behavior
11 relationships. For example, with individuals who have
12 acute traumas, head injuries, strokes, systemic
13 neurological disease processes, a neuropsychologist has
14 specialized training in tests and a battery of tests to
15 identify what functional deficits the individual might
16 have.

17 Meaning, for example, processing, executive
18 deficits such as planning, problems with memory,
19 consolidation, and it just varies depending upon what type
20 of injury the person might have or what neurological
21 disease they have.

22 Q. What do neuropsychologists do with testing
23 that's different?

24 A. So a neuropsychologist traditionally, like
25 psychologists, can administer test and they have to be

1 specifically trained in them for interpretation and how to
2 basically administer the test, but essentially what
3 happens is that after the neuropsychologist performs the
4 test, then they look at the results and they take other
5 collateral information, such as brain imaging or
6 historical information about medical background, and then
7 they do correlations with do those functional deficits
8 observed on testing coincide with or are they in agreement
9 with what the data shows.

10 And a really good example is neuroimaging
11 because that will give you structural functional deficits
12 on a PET scan or an MRI, and it will allow you to say, Oh,
13 yes, this is a bull's eye. This is exactly what I see on
14 this testing or not, but that would be part of what a
15 neuropsychologist would do.

16 Q. And what are the differences in education and
17 training over and above a regular old psychologist that a
18 neuropsychologist has?

19 A. So regular, traditional psychologists are surely
20 going to be able to administer the test that they're
21 taught in psychometrics in school. The neuropsychologist
22 has undergone specialized supervision and training.

23 For example, as an undergraduate, I began to
24 study neuropsychology and was supervised by Dr. Thomas
25 Peek (phonetic), who was a diplomat in neuropsychology,

1 and I would do practicums within a psychiatric practice
2 that dealt with some forensic issues and also child
3 issues, developmental issues for ADHD or learning
4 disabilities.

5 So that particular supervision added up over
6 time to become proficient in being able to utilize those
7 types of tests. Now, that was also part of my internship
8 was to pick a place that would allow me further
9 supervision. I mentioned earlier Dr. Howieson, and Mr.
10 Binder and Dr. Pankratz, who specifically focused on
11 neuropsychology and malingering testing and being able to
12 work with a wide population, not just veterans, but other
13 individuals in geriatric, young, or adults in terms of
14 doing specific testing to help to define what deficits,
15 functional deficits, the person might have and how best to
16 recommend rehabilitation.

17 Q. So we talked a lot about your very broad
18 experience. Do you think that you have specialties in
19 your practice?

20 A. So, I do, and I have worked hard to maintain
21 those specialties because everything changes. For
22 example, the WAIS-IV is now the WAIS-5, so that requires
23 retraining in that, which I've done. So I like to be on
24 the edge with regard to testing that's available,
25 neuropsychological, intellectual testing, autism testing,

1 adaptive testing, to help answer questions.

2 And what it does is it sensitizes the way that I
3 approach cases. So I would say I specialize in
4 intellectual disability assessments. I specialize in
5 autism, trying to differentiate autism from other
6 psychiatric disorders, and there may be both,
7 realistically, but also looking at specifics.

8 For example, a developmental disability can --
9 autism with speech and language deficits, autism with
10 limited intellect. So there's some differentials that I
11 specialize in that help on cases involving very serious
12 crimes, whether they're, you know, violent crimes or
13 sexual crimes.

14 We're trying to make sense of how that might add
15 to the picture as far as whether or not, one, they're
16 competent. Could there have been issues of, you know,
17 NGI. Could there be issues of intent that would be
18 impacted by that developmental disability?

19 And then the other area that I've been trained
20 in, I've trained for 10 years with a neurologist in
21 neuropsychology, is to work with that treatment team. And
22 it can -- harkening back to what I said about the
23 convergence of data, looking at neuro imaging, for
24 example, with neuropsychological testing whether it's in a
25 civil case or a criminal case to see if; one, it's

1 factually valid; and two, how it would play out in terms
2 of questions of criminal competency, insanity at the time.

3 So I stay active in those and affiliate with
4 colleagues who also work in those areas.

5 Q. I want to back up. You mentioned something, the
6 WAIS-IV to the WAIS-5. Is the WAIS an IQ test?

7 A. Yes. The WAIS has traditionally been -- it
8 started with the WAIS, W-A-I-S, and then proceeded to go
9 through a series, and we were at the WAIS-IV. It was
10 modified and revised, and it incorporated some very
11 important changes that will have relevance for using it
12 for intellectual disability and during criminal competency
13 cases.

14 So it's not the WAIS-5, and I have undergone
15 training in it, and will be utilizing that now exclusively
16 because it has advantages to being used to further
17 differentiate certain skills and abilities, but also it
18 incorporates some of the memory components that I think
19 are relevant for Court, processing components, that goes
20 beyond the WAIS-IV.

21 Q. And when you talked about looking at brain
22 imaging, that would be a neurologist. You just worked
23 with a neurologist to consider the brain imaging in
24 conjunction with your testing; is that fair?

25 A. I think that's fair to say, and I want to

1 clarify for the Court that that means I don't look at the
2 scan and say, This is what's wrong. I look at the
3 impressions of the neurologist or the neuroradiologist to
4 see what the impressions are.

5 Whether it is, like, frontal lobe structural
6 damage, whether it's ischemic changes due to a stroke.
7 Then I look at, Well, that explains why they, you know,
8 did poorly on this particular executive functioning test.

9 Like verbal fluency, for example, which that
10 particular test would be looking at the frontal lobe being
11 able to pull information out of the temporal lobe. So it
12 becomes important because it helps to validate the
13 findings and look at what the real causal reason is for
14 the deficits.

15 Q. So how long have you been working in forensics?

16 A. So I've been working in forensic -- well, in
17 some capacity, since my undergraduate work, more
18 specifically, during my internship and my post-doctoral
19 fellowship. And then I specialized through training with
20 the FBI in sexually violent crimes.

21 For two summers, I did intensive training with
22 their behavioral group through Quantico, just because I
23 was working with more violent cases, and wanting to make
24 sure that I understood the technology that was being used
25 on the cases.

1 So I've been training basically since
2 undergraduate and have stayed active in the training and
3 courses. I take the CEUs, of course, with my colleagues
4 and also independently just because of certain areas I
5 need to study on, but I maintain active evolution, if you
6 will, of my skills in forensics. Most recently, I did,
7 like, a panel for ethics and forensics.

8 Q. How many hours of competency and insanity
9 evaluation have you done over the course of your career?

10 A. So in 1998, I did the training with Dr. Randy
11 Otto through the Florida Mental Health Institute, and
12 since that time I've stayed active doing competency
13 assessments and testimony, and it's been thousands of
14 cases, and at this time I've testified over -- you know,
15 easily over 1000 times.

16 Q. When you say you testified, in state court?
17 Federal court? Different states?

18 A. So I actually testify in both courts. I do
19 federal cases as well and have testified in Orlando, in
20 Jacksonville, and also in Tampa. And I have done
21 court-appointed cases and federal appointments as well.
22 And within the past year, I would say four appointments by
23 the federal courts in Tampa.

24 Q. Okay. How many death penalty cases have you
25 been involved in before?

1 A. So to date, approximately 50.

2 Q. You were telling me yesterday about your
3 involvement in the Hall case?

4 A. Right. So I was asked to assist in the Freddie
5 Hall case to explain to the Court, to Judge Toner, about
6 the standard error of measurement and standard deviations,
7 and how, at that time, the Cherry decision of an exact
8 score of 70 was not really consistent with psychometric
9 practice.

10 It was just basically to present that
11 information. Not to evaluate Mr. Hall, but just to
12 present, you know, historically, that it's different and
13 it actually over time did change. That the understanding
14 of the psychological research data became, I would say,
15 more tangible to the audience because it was not
16 necessarily easy to understand how there could be error of
17 measurement, but it is generally considered that there is
18 plus or minus 3, you know, points difference.

19 So they get what we call "a confidence
20 interval," and they assign a certain value to it like, you
21 know, .05, .01.

22 Q. Are death penalty cases different in your mind
23 in terms of the way people should be evaluated?

24 A. Death penalty cases are different in the sense
25 that from the get-go there is an understanding that it's,

1 number one, very, very serious; number two, there are
2 certain aspects of it, such as intellectual disability and
3 developmental disability, that can be important factors in
4 terms of whether or not it's even appropriate to assign
5 the death penalty.

6 I've been involved in cases like that where, you
7 know, initially it's not clear. You know, especially if a
8 person is older and it happens, and the academic records
9 might not be as available, or some might have been
10 destroyed by accident or otherwise, or it just wasn't
11 addressed.

12 Even though they did poorly in school, the
13 system did not, basically, do the necessary testing to
14 find out why that person was slow or having difficulty,
15 and so it becomes important -- staying on point, it
16 becomes important because really, in death penalty cases,
17 from the beginning it's always conceptually understanding
18 that every single life factor, biological or genetic,
19 cultural, environmental factors, family structure, history
20 of trauma, like, the ACE factors, all of that becomes very
21 important in the context of, you know, the case playing
22 out, if you will. Whether the person goes to trial or
23 whether it's the penalty phase. Whether they're competent
24 or not.

25 So it's different from the standpoint of

1 historically it involves a lot more attention to detail
2 and how the cases work, in terms of testing, or
3 understanding the need for certain collateral information
4 that would be relevant to life history and even the crime
5 itself.

6 Q. How much do you charge an hour?

7 A. So my hourly rate is \$250 per hour.

8 Q. And is that standard across all cases?

9 A. It is.

10 Q. I would like to just ask you a few general
11 questions about competency, and then we'll get more into
12 the details.

13 What is "competency"?

14 A. So competency, as it pertains to competency to
15 proceed to trial, it's basically, you know, statutorily
16 looking at the defendant's ability to understand their
17 charges, to identify the seriousness of their charges, a
18 defendant's ability to understand potentially what could
19 happen, in terms of difference scenarios related to pleas.

20 For example, pleading not guilty, pleading
21 guilty, no contest, not guilty by reason of insanity.

22 Then the practical understanding of the
23 adversarial nature of the legal process, what their
24 attorney is supposed to do for them. The State Attorney,
25 what their role is. The Judge. Understanding what, for

1 example, a plea bargain is, potential pleas, as well as a
2 jury trial, and what could happen. A bench trial.

3 There's a lot of different details depending
4 upon the case. Also, the person's capacity to basically
5 understand relevant information as far as what they're
6 being accused of, and an independent recollection of what
7 actually occurred.

8 And that, in some cases, can be complicated
9 because there could be a head injury or there could be
10 psychiatric issues that impact that.

11 A person's ability to demonstrate appropriate
12 courtroom behavior, whether or not there is any type of
13 limitations, even handicaps, if you will, hearing
14 impairment, speech, you know, differences in their
15 language, primary language, but whether or not they can
16 sit in a courtroom, pay attention, comprehend information,
17 and also manifest appropriate behavioral compliance in
18 terms of not acting out, getting up, or raising their hand
19 or blurting things out.

20 And then also to testify relevantly in terms of
21 being able to, if they did take the stand or they're
22 answering questions, for example, to the judge. If
23 they're able to, for example, comprehend the questions,
24 provide appropriate responses, and to demonstrate that if
25 there is some impairment, whether it's cognitive or

1 psychiatric, that they're appropriately stabilized on
2 medication, you know, and that's known so that they're
3 able to still respond in a rational manner to the
4 information being discussed.

5 Q. Competency can change over time?

6 A. Yes.

7 Q. And what factors might influence competence?

8 A. Well, multiple factors. One of the basic
9 practical ones is familiarity with material related to
10 competency just by virtue of discussion. If the defendant
11 is interviewed by several people over a period of time,
12 that frequency of that discussion, if you will, can lead
13 to them retaining more information about practical aspects
14 of competency.

15 So that can affect it. Repetition. Also,
16 whether or not they're stabilized for any mental health
17 condition can also affect it, whether or not they have any
18 type of systemic things that happen, like a disease
19 process.

20 For example, dementia could affect it. A
21 neurological disease process. Or actually, even medical
22 issues like diabetes. If it's uncontrolled or if they
23 have multiple sclerosis, those types of things can
24 definitely affect an acute event, such as a head trauma,
25 being injured at the jail or assaulted, suffering a

1 medical event, that can also affect it.

2 Then going to the training process, whether or
3 not they're provided with the appropriate training within
4 the context of a hospital setting or the community. And
5 stabilized, for example, if it was a psychiatric reason
6 for a lack of competence, being stabilized on appropriate
7 meds and then going through appropriate training would be
8 important.

9 If it was, for example, intellectual disability
10 and/or autism, then having a routine that is more focused
11 on accommodating those deficits through multisensory type
12 of training, you know, see, hear, act out, the different
13 aspects of competency.

14 So those are all factors that could affect it.
15 If, for example, a person quits taking their medication,
16 they can go from being competent to incompetent within a
17 short amount of time, especially if it's a mental health
18 issue.

19 Q. What about the circumstances of confinement?

20 A. So confinement can work -- two things. It can
21 work to isolate the person more. They can become more
22 depressed and less responsive to their environment; or
23 alternatively, they could benefit from confinement just by
24 having the structure, proper nutrition, proper sleep,
25 medication.

1 Q. What about stress, does that ever influence
2 competency factors?

3 A. In a multitude of ways, stress can affect
4 competency. Stress can lead to a person becoming more
5 depressed and less able to go forward on their case,
6 feeling anxious and overwhelmed for just situational
7 stressors, but there can also be stress related to
8 physical medical problems that they have.

9 Stress relating to separation from family, and,
10 of course, stress is stress-related to the case itself and
11 the details of the case, especially in very serious
12 crimes, like homicides.

13 Q. Dr. McClain, have you formed an expert opinion
14 as to whether Mr. Mosley is currently competent under the
15 six criteria in Florida Statute 916.12, and Florida Rule
16 of Criminal Procedure 3.112, due to autism and
17 intellectual disability?

18 And we're going to get to the details of your
19 opinion, but I'm just curious if you have formed one?

20 A. I have.

21 Q. All right. Before we get to your professional
22 conclusions, I would like to talk about what documents,
23 records, interviews, and testing that you did in order to
24 arrive at your opinion.

25 A. Certainly.

1 Q. Did you review any records?

2 A. I did.

3 Q. Which ones?

4 A. So the records that I reviewed are noted in my
5 report, and I would refer to the report with the last date
6 of visit of 6/27/25. So the records that I reviewed was:
7 The charging documents, the Indictment --

8 Q. Dr. McClain, why don't we do this. Did you do a
9 report in this case?

10 A. I did.

11 Q. And that was filed with the Court?

12 A. It was.

13 MS. RUSSELL: All right. If I may approach?

14 BY MS. RUSSELL:

15 Q. Dr. McClain, I'm going to show you what's been
16 premarked as Exhibit 9. Is that the forensic report that
17 you prepared in conjunction with this case?

18 A. It is.

19 MS. RUSSELL: Your Honor, may we admit Dr.
20 McClain's report into evidence?

21 THE COURT: What exhibit number is that?

22 MS. RUSSELL: 9.

23 THE COURT: Any objection to Exhibit 9?

24 MS. SULLIVAN: No, Your Honor.

25 THE COURT: Okay. It will be admitted as such.

1 (Defense Exhibit 9 was admitted into evidence.)

2 BY MS. RUSSELL:

3 Q. All right. Dr. McClain, now you're free to
4 refer to your exhibit. It's Exhibit 9.

5 In terms of the records that you reviewed --

6 A. Yes, ma'am.

7 Q. -- what did you start with?

8 A. So I started with referring to the charging
9 documents, the Indictment, Notice to Seek the Death
10 Penalty, All Children's Hospital records, BayCare records
11 and academic records from Boca Ciega, Wellpath records
12 from South Florida Evaluation and Treatment Center,
13 St. Anthony's records, Pinellas County Jail records,
14 Dr. Michael Railey's evaluation, Dr. Amy Fritz's
15 evaluation, and Wellpath records.

16 THE COURT: Do you have a copy of her report for
17 me?

18 MS. RUSSELL: Yes.

19 THE COURT: May I have it? It will be easier
20 for me to follow along instead of writing down every
21 record that she reviewed.

22 BY MS. RUSSELL:

23 Q. All right. Dr. McClain, you reviewed records
24 from the Pinellas County Jail, and also from the South
25 Florida Evaluation and Treatment Center?

1 A. Correct.

2 Q. Since Thomas Mosley returned?

3 A. Correct.

4 MS. RUSSELL: May I approach the witness?

5 THE COURT: Yes.

6 BY MS. RUSSELL:

7 Q. Dr. McClain, I'm going to show you what's been
8 premarked as Exhibit 5 and Exhibit 6. Exhibit 5 are the
9 hospital records from the South Florida Evaluation and
10 Treatment Center. Exhibit 6 are the Pinellas County Jail
11 records since Thomas Mosley's arrival in March of 2023.

12 A. Yes, ma'am.

13 Q. Are those records that you reviewed?

14 A. Yes, ma'am.

15 Q. In conjunction with your report?

16 A. Yes, ma'am.

17 MS. RUSSELL: We'd ask that Exhibits 5 and 6 be
18 admitted into evidence.

19 THE COURT: Any objection?

20 MS. SULLIVAN: Can I see what is -- not the
21 disc. Is it all of it?

22 MS. RUSSELL: It is only from the second stay,
23 and I did include as a separate part in there the
24 ones that were produced after Tenaglia's deposition.

25 MS. SULLIVAN: So it's everything that we have

1 received since the second stay?

2 MS. RUSSELL: Yes.

3 MS. SULLIVAN: And then the extra ones --

4 MS. RUSSELL: The extra ones that she produced
5 during her deposition.

6 MS. SULLIVAN: Sorry, Your Honor. If you don't
7 mind?

8 THE COURT: Take your time.

9 MS. SEIFER-SMITH: I have no objection to the
10 hospital records, and then the disk was the complete
11 Pinellas County Jail records. The thousand pages you
12 sent me.

13 MS. RUSSELL: If you want to --

14 MS. SULLIVAN: No objection.

15 THE COURT: Okay. They will be admitted as
16 Exhibit 5 and 6.

17 (Defense Exhibits 5 and 6 were admitted into
18 evidence.)

19 BY MS. RUSSELL:

20 Q. Dr. McClain, what other records did you review
21 in conjunction with your evaluation?

22 A. So just in terms of what I listed, I have also
23 received the competency assessment notes that will be the
24 hospital records. So those are inclusive in that, but to
25 be specific.

1 Because I am a psychologist, neuropsychologist,
2 I have received raw data that was provided to me relative
3 to the evaluations by Dr. Tenaglia and Dr. Railey. Just
4 to clarify on Dr. Railey's, it wasn't the raw data like
5 you would typically traditionally see on the WAIS testing,
6 it was the computerized printout of it, but I did receive
7 the computerized printout as well for the adaptive test
8 that he did, but I didn't see, like, the -- I think he may
9 have administered it by computer to the parents. So all I
10 saw was the ratings.

11 Q. Just to be clear, you were able to review that
12 data, but I am not able to review the data, correct?

13 A. That's correct.

14 Q. And why is that?

15 A. So there are certain, what I would call,
16 protective information, confidential information that can
17 only be released to another qualified psychologist. So it
18 can't just be produced to anybody, like, a layperson
19 because the interpretation of it has to be done within the
20 context of how it should be properly interpreted, which
21 includes in conjunction with the person that's been
22 evaluated.

23 Q. All right. Dr. McClain, as long as we're
24 talking about it --

25 MS. RUSSELL: Your Honor, may I approach?

1 THE COURT: Yes.

2 BY MS. RUSSELL:

3 Q. I'm showing the witness what's been premarked as
4 Exhibit 7. This is the Competency Assessment Tool, and
5 the psychology records from South Florida Evaluation and
6 Treatment Center. It is a subset of the papers that is in
7 that file.

8 MS. SULLIVAN: No objection.

9 THE COURT: It's the Competency Assessment Tool
10 and what else?

11 MS. RUSSELL: And psychology notes. Since the
12 entire record is something like 350 pages, it is a
13 little unwieldy to flip through --

14 THE COURT: Understood.

15 MS. RUSSELL: -- and this little subset is
16 probably what we're going to be talking about the
17 most.

18 THE COURT: Okay. So instead of giving me 1000
19 pages, you're going to draw my attention to what you
20 believe to be the important part with Exhibit 7.

21 Does that sound right?

22 MS. RUSSELL: And you would be more than welcome
23 to help yourself to the rest.

24 THE COURT: Yes, I understand. But this is a
25 portion that you just wanted to draw my attention to.

1 MS. RUSSELL: That we're going to talk about,
2 yes.

3 THE COURT: No objection to Exhibit 7?

4 MS. SULLIVAN: No, Your Honor.

5 THE COURT: So that's part of, actually, the
6 larger Exhibit 5; is that correct?

7 MS. RUSSELL: Correct.

8 THE COURT: Okay.

9 (Defense Exhibit 7 was admitted into evidence.)

10 BY MS. RUSSELL:

11 Q. Dr. McClain, did you review any school records
12 in conjunction with your evaluation?

13 A. I did.

14 MS. RUSSELL: May I approach?

15 THE COURT: Yes.

16 BY MS. RUSSELL:

17 Q. I'm showing, Dr. McClain, what has been
18 premarked as Exhibit 4. This is Thomas Mosley's Adaptive
19 Functioning Summary with tabs having to do with his
20 educational records in Pinellas County.

21 Was that what you reviewed in conjunction with
22 your evaluation?

23 A. That's correct.

24 MS. RUSSELL: I would like to ask that Exhibit 4
25 be moved into evidence?

1 THE COURT: Any objection to Exhibit 4?

2 MS. SULLIVAN: I have no objection to the
3 records themselves coming in. I do have an objection
4 to this Adaptive Functioning Summary that is at the
5 beginning before Exhibit 1 starts.

6 My understanding when I asked Defense is that
7 they created this summary. I think the records speak
8 for themselves, and the records can come into
9 evidence, but I don't know that we need a summary
10 created by Defense outlining what they believe to be
11 relevant in this case.

12 THE COURT: What's the title of the document?

13 MS. SULLIVAN: Thomas Mosley's Adaptive
14 Functioning Summary.

15 MS. RUSSELL: Would Your Honor like to see what
16 it looks like?

17 THE COURT: Sure. Who created the summary?

18 MS. RUSSELL: It was work product of counsel as
19 a way to assist all of the experts in understanding
20 the school records, which were voluminous. So, you
21 know, we did prepare it. It was provided to each and
22 every expert in this case.

23 THE COURT: Well, generally --

24 MS. RUSSELL: The experts read it. They relied
25 on it. It was included in all of their evaluations.

1 THE COURT: Generally, with summaries, if
2 they're going to be admitted, there needs to be a
3 notice of a summary so opposing counsel can review
4 the summary to ensure that it comports with all of
5 the records that it's relying on.

6 Ms. Sullivan, have you seen it?

7 MS. SULLIVAN: Oh, I've seen it. It came with
8 the records that I got recently.

9 THE COURT: When did you receive it?

10 MS. SULLIVAN: I received a lot of things in the
11 last --

12 THE COURT: It wasn't yesterday?

13 MS. SULLIVAN: It was not yesterday --

14 THE COURT: Okay.

15 MS. SULLIVAN: -- I can tell you that. Other
16 things were, but...

17 THE COURT: Go ahead.

18 MS. SULLIVAN: I just -- my argument is the
19 records are the records. They speak for themselves.
20 To attach a summary created by Defense Counsel
21 highlighting in bold things that they find to be
22 relevant, it's fine that the experts relied on this,
23 and they can talk about the fact they relied on this,
24 but I don't think it should come into evidence as
25 part of the record because they're not the actual

1 school records. It's a summary.

2 THE COURT: Here is my -- I understand your
3 objection. My concern is if they are relying on
4 something that you believe may have skewed their
5 analysis in some way, I don't know if that's what
6 your argument is, that Defense Counsel is attempting
7 to suggest what they think is important instead of
8 having a doctor peruse the hundreds and thousands of
9 pages, it would, I think, be important for me to see
10 it in order to entertain any argument as to why a
11 doctor should or should not have relied upon it.

12 Do you have any thoughts on that?

13 MS. SULLIVAN: I understand that reasoning.

14 THE COURT: I just -- it's hard for me to -- you
15 know, we're going to have a lot of that, I think,
16 over the next couple of days, as far as testing is
17 concerned. What is available or not available to the
18 lawyers. What's available or not available for me to
19 review, and for me to make a decision about, you
20 know, the manner in which any of these doctors
21 conducted their evaluations and what they relied
22 upon, I just think it would be important for me to
23 see the exhibit.

24 Now, you can certainly argue how much weight I
25 should give it and what it means in my analysis, if

1 anything. So I'll allow the exhibit in its entirety
2 over the State's objection.

3 Do you want this back? I assume you want this
4 back? Yeah, it's evidence.

5 MS. RUSSELL: I think that Dr. McClain has a
6 copy.

7 THE COURT: Do you have your own copy?

8 THE WITNESS: I do, Your Honor.

9 THE COURT: Do you need this, or can I follow
10 along with this?

11 MS. RUSSELL: You can follow along with this.

12 THE COURT: Okay. Thank you.

13 (Defense Exhibit 4 was admitted into evidence.)

14 BY MS. RUSSELL:

15 Q. All right. Dr. McClain, let's talk about
16 Exhibit 4.

17 A. Yes, ma'am.

18 Q. I would like to walk you through some of the
19 things that you might have looked at as you reviewed the
20 school records.

21 Can I direct your attention to Tab 1?

22 A. Yes, ma'am.

23 THE COURT: Exhibit 4, Tab 1?

24 MS. RUSSELL: Exhibit 4, Tab 1, correct.

25 BY MS. RUSSELL:

1 Q. On page 4, there were IEPs, and it says,
2 basically, Mr. Mosley's intervention started in the first
3 grade in March of 2009?

4 A. Correct.

5 Q. And he struggled in school with IEPs all the way
6 through high school, according to these records, correct?

7 A. Correct.

8 Q. On page 8, also on Tab 1, there are TOLD scores.
9 Do you know what the TOLD is?

10 A. That would be basically looking at his oral,
11 comprehension, his listening skills, grammar and semantic
12 skills, so it's going to give an idea of how well he can
13 listen, comprehend, and then respond or organize his
14 thoughts.

15 Q. So with those TOLD scores that are there on page
16 8, he took that test back in 2013?

17 A. Correct.

18 Q. And with the TOLD, the mean is 100?

19 A. Yes. So the average would be 100, then it's
20 divided into standard deviations plus or minus 15 to give
21 you ranges that would allow you to see how he is
22 performing relative to his peers.

23 Q. And how did he do on that test?

24 A. So specific to his speaking skills, it falls at
25 a 62, which is lower than two standard deviations from the

1 average range, placing him in the extremely low range.
2 He's borderline for organizing his thoughts and organizing
3 his understanding of language, and his listening skills
4 are also borderline.

5 With regard to his semantics and spoken
6 language, he is a 65, which would, again, be in the
7 extremely low range.

8 Q. So unlike IQ, the standard deviations with the
9 TOLD are 10, not 15, right?

10 A. Correct.

11 Q. So he was actually three standard deviations
12 below the norm?

13 A. Correct.

14 Q. Semantics, spoken language, speaking, and pretty
15 close to three standard deviations on listening and
16 grammar?

17 A. Correct, and they do reference also the FCAT
18 scores beneath it, which would be consistent with him
19 having difficulty with his reading, his vocabulary in the
20 different areas.

21 Q. So those FCAT scores in 2014, when Thomas was in
22 the fifth grade, he was scoring about half of the state
23 mean; is that right?

24 MS. SULLIVAN: Objection to Counsel leading. I
25 would ask for open-ended questions.

1 THE COURT: Rephrase your question, please.

2 BY MS. RUSSELL:

3 Q. What did you notice about his FCAT scores on
4 page 8 back when Thomas was in the fifth grade?

5 A. So, basically, the FCAT scores are designed to
6 see if they pass or fail relative to moving forward in
7 their academic training, and he's basically in a failure
8 range.

9 Q. Did he have failing grades, Dr. McClain?

10 A. With regard to his grades, he did struggle with
11 his grades. For example, I would say average to below
12 average. He had difficulty with reading. He had
13 difficulties with math, language arts. When he was given
14 intensive studies, he did struggle with math with studying
15 and got an F in math.

16 So there are some definite difficulties that he
17 is having that were noted, and there's some variability
18 with completing assignments. You know, sometimes it shows
19 him being encouraged. Other times, he's having difficulty
20 and giving up.

21 Q. So back to Tab 1 on page 9 at the top. It
22 talked about that Thomas tried hard to complete his work?

23 A. Correct.

24 Q. So was it an issue of effort for him that you
25 saw?

1 A. So it's variable, and that's noted also --
2 basically, I think there's some variability because it
3 says at the bottom of the paragraph that Thomas is not
4 motivated to complete the work. It was suggested that he
5 takes work home.

6 Now, the reason he's not completing it is not
7 obvious whether it is motivation, difficulty
8 understanding, but there is definitely a suggestion that
9 he's exhibiting effort to try to complete it, but then at
10 other times doesn't appear to be able to be motivated to
11 do it. Why that's occurring is the question since it's so
12 variable, basically.

13 Q. And also on Tab 1, page 13 through 15, Ms.
14 Behring, who had some handwritten notes. What was she
15 seeing about his effort in school?

16 A. This is relative to Ms. Steiner.

17 Q. Oh, Ms. Steiner, correct.

18 A. So on Ms. Steiner's impressions, he's in sixth
19 grade. This is dated 2/19/15. It says that he tries very
20 hard. Doesn't always ask for help, but accepts it if
21 offered, and that he needs time to process new concepts
22 before he can apply them.

23 Then it goes on to say he's made a complete
24 turnaround from the beginning of the school year. He used
25 to be angry and refuse help. He seems now calm and

1 accepts help. He seems excited to learn things.

2 Q. What about what Ms. Behring wrote on page 15?

3 A. On page 15, Ms. Behring, B-E-H-R-I-N-G, says
4 that Thomas is a respectful child and tries hard to
5 complete work. He has extreme difficulty completing
6 assignments. On his sixth grade level skills, weak with
7 multiplication and struggles with subtraction. Then it
8 goes on to say that he needs to work on subtraction and
9 multiplication to learn sixth grade standards, and that he
10 should attend tutoring on a regular basis, and he could
11 make progress with one-to-one teaching, regular tutoring,
12 pulled out from class to work on math.

13 Q. And from those educational records, he was
14 pulled out during elementary school?

15 A. Yes, ma'am.

16 Q. What about Exhibit 2? Sorry. Tab 2. Exhibit
17 4, Tab 2? Those are homework that Thomas Mosley completed
18 when he was 17 years old.

19 What do you notice about the complexity of his
20 work at 17?

21 A. It's very simple, concrete. I also note there's
22 difficulties with spelling that are consistently through
23 the homework.

24 Q. Okay. Under Tab 3, there was a psychological
25 report written by Ms. -- the school psychologist, Judy

1 Merrill.

2 Was there anything in that report that was
3 important to your analysis?

4 A. So she's -- the doctor is basically noting that
5 there's difficulties with his FCAT scores on the first
6 page, which I think is important. She's also evaluating
7 him and referred -- he's referred to her for possible
8 eligibility for the need of specially designed
9 instruction, so I think that's important.

10 In terms of other things that are important, she
11 notes that he was enrolled in the third grade in the
12 STAR's Dropout Prevention Class. Basically, that would
13 allow him more contact with the teacher in less student
14 population. So they're trying to accommodate him in a
15 smaller group. He was noted to be behaving appropriately.
16 Then she notes intensive instruction is being given.

17 Q. So those interventions are because he was
18 struggling in school?

19 A. Yes. I think one thing that struck me in
20 reading was when they said, He was able to read 27 words
21 per minute while his peer group read 45.

22 THE COURT: What page are you looking at?

23 THE WITNESS: Your Honor, I'm looking at page 4
24 of the evaluation itself.

25 THE COURT: Thank you.

1 THE WITNESS: The first paragraph.

2 THE COURT: Thank you.

3 THE WITNESS: Certainly. So that was important
4 to me just from the standpoint of where he is
5 relative to his peers in terms of his ability to read
6 or process written material.

7 BY MS. RUSSELL:

8 Q. And he received one-on-one intensive instruction
9 for reading five days a week for 30 minutes to try to
10 improve it?

11 A. Correct.

12 Q. What about moving on to Tab 4. Did you find any
13 important information in his specific learning
14 disabilities in language-impaired team summary?

15 A. Just in terms of him being able to accomplish
16 things, that he is being provided with appropriate
17 intervention that's being provided. It's identified that
18 he is trying to make progress, but the intervention has
19 not sufficiently improved the rate of learning, and
20 additional resources are needed. That's found on page 2,
21 part two, where it says "rate of progress."

22 Q. Anything else?

23 A. So the main thing is at the very bottom where
24 they check off and say the student's progress is not
25 primarily the result of any of the exclusionary factors or

1 lack of appropriate instruction, and the student needs
2 intervention that differs significantly in intensity and
3 duration from that which can be provided through the
4 general education resources.

5 So, again, it's really honing in on the fact
6 that he's going to need more intensive intervention in
7 order to remediate the deficits that they're noting in the
8 expressive and receptive language skills.

9 Q. Dr. McClain, what about Tab 5? It's a Good
10 Cause Exemption Letter. Have you seen those before in
11 reviewing school records in Florida?

12 A. That's actually the first time that I've seen
13 that.

14 Q. What does it tell you about how he was doing in
15 the third grade?

16 A. That he's not successfully getting through the
17 third grade, but he's exerting effort. So they're trying
18 to move him forward.

19 Q. And he was moved forward under an exemption for
20 what reason?

21 A. He or she took the FCAT, and so the FCAT, they
22 scored at Level 1. They can only be promoted if they meet
23 the good cause exemptions. So, in other words, because he
24 didn't succeed on the FCAT, they're looking at could they,
25 even though he didn't succeed at it, promote him.

1 Q. And why did they promote him? What was his
2 exemption?

3 A. Basically, looking at just that he took the
4 test, that he otherwise would be promoted.

5 Q. Okay. Are we looking at the same Tab 5?

6 A. That he's got a 504. He had a previous
7 retention and more than two years' intensive remediation.

8 Q. And he actually repeated the third grade?

9 A. Correct.

10 Q. Twice?

11 A. Correct.

12 Q. Let's move on to Exhibit 6. These are reports
13 from speech and language teachers. One at Melrose
14 Elementary when Thomas was in the third grade the first
15 time, and then one from Lakewood Elementary when he was in
16 third grade the second time.

17 What does the report of Amy King tell you about
18 the kind of deficits Thomas had as an elementary school
19 student?

20 THE COURT: Are we on Tab 6?

21 MS. RUSSELL: Yes. That is Tab 6, Your Honor.

22 THE COURT: Thank you.

23 THE WITNESS: So I'm referencing page 2 under
24 Tab 6, where they're talking about the TOLD test and
25 looking at how he did with regard to specifics within

1 language and speech.

2 So they talk about sentence combining. Forming
3 one sentence from two or more simple sentences, he
4 was below average.

5 Picture vocabulary, selecting from six pictures
6 the one that best represents the stimulus phrase, he
7 was very poor on that.

8 Word ordering, he was very poor for that. That
9 would be taking a random list of words to form
10 complete sentences.

11 Then relational vocabulary was also poor.

12 Then morphological comprehension, identifying
13 orally presented sentences was poor.

14 Then it goes on just to look at what we call
15 composite performance. I don't want to miss this.
16 Multiple meanings -- relating multiple meanings for
17 orally presented homophones. He was average for
18 that.

19 THE COURT REPORTER: Ma'am, can you speak up and
20 slow down?

21 THE WITNESS: I sure can.

22 THE COURT REPORTER: Thank you.

23 THE WITNESS: So for morphological
24 comprehension, identifying orally presented sentences
25 is having correct or incorrect grammar.

1 Then there was multiple meanings, relating
2 multiple meanings for orally presented homophones, he
3 was actually average in that.

4 Then they do composite performances for
5 listening, was very poor.

6 Organizing was noted as poor.

7 Speaking was below average.

8 Grammar was poor.

9 Semantics, very poor.

10 And spoken language, very poor.

11 And it says at the bottom: Index scores are
12 based on a mean of 100, and a standard deviation of
13 15.

14 BY MS. RUSSELL:

15 Q. What does his profile on a TOLD tell you about
16 how Thomas Mosley was communicating as a third grader?

17 A. So just a cumulative review to date, looking at
18 the exhibits and the focus, his obvious language deficits,
19 speech and language or expressive deficits are impacting
20 his ability to progress in school, and that's something
21 that has been consistent, basically, since he entered
22 school.

23 Q. I want to ask you about the OWLS. What is that?
24 That's also on page 2.

25 A. Okay. That's the Oral and Written Language

1 Scales. That's used to determine, like, the areas that
2 they have difficulty, whether it's, like, in listening
3 comprehension, oral expression, and then oral composite.

4 So that's going to look at, basically, their
5 ability to comprehend and then produce responses, which
6 just from a neurodevelopmental standpoint, has a lot to do
7 with areas of the brain that are activated by that.

8 Q. How did he do on the OWLS in third grade?

9 A. So the OWLS put him at a 75 for listening
10 comprehension, which would be in the borderline range.
11 His oral expression was a 68, which is in the extremely
12 low range. And then for oral composite, he was right on
13 the borderline to extremely low range.

14 Q. Then the next report is by Jessica Daw from
15 Lakewood Elementary. Were her findings consistent in
16 terms of Thomas' speech and language deficits?

17 A. Let me turn to that. So that would be page 6.
18 And his exceptionalities are noted for specific learning
19 disabled and language impaired. He's age 11, fourth
20 grade. Lakewood Elementary.

21 It says his IEPs. And the results are very
22 similar in terms of the TOLD test, with finding him having
23 difficulties in those areas.

24 On page 8, it notes low average to very poor.
25 Has difficulty with expressive or receptive expressive and

1 organizational languages. So it would be consistent.

2 Q. Let's go on to Tab 7 in Exhibit 4. Thomas ended
3 up in the 7th percentile in reading comprehension?

4 A. Correct.

5 Q. And that was in fifth grade?

6 A. Correct.

7 Q. That is a letter that the school sent to the
8 parents to alert them that Thomas was having some real
9 trouble with reading and spelling?

10 A. Correct, and they do have specific examples of
11 the spelling errors that are included.

12 Q. And what are those?

13 A. So they're common words, then it lists how he
14 spelled it. For example, on page 3, disinfectant is
15 spelled D-I-S-I-N-F-A-C-T-I-N-G. Objection is
16 U-P-J-E-T-I-O-N. Then it goes on to give some more
17 examples.

18 Q. Is he a good speller for a fifth grader?

19 A. So the simple answer is, no. It looks like
20 there is some trying to sound it out, if you will, but
21 it's not correct.

22 Q. What about Tab 8? It's a letter from the
23 Tomlinson Adult Learning Center that was sent to the
24 Mosleys?

25 A. Yes, ma'am.

1 Q. Okay. Did it seem like Thomas was ever going to
2 graduate from high school or get a GED?

3 A. So the important thing, I think, that I noted is
4 that they were commenting that he is moving very slowly,
5 not really making enough progress to retest him, and that
6 basically he's having difficulty. They wanted to know
7 about graduation. He basically was noted to -- they
8 weren't sure if he would be able to do that or a
9 traditional high school program. They're saying, at this
10 time, that I have no confidence he will graduate from
11 either program.

12 Q. Tab 9. Tab 9 is reading -- STAR Reading Report
13 from March of 2019, when Thomas was in high school?

14 A. Yes, ma'am.

15 Q. He was 17 years old?

16 A. Correct.

17 Q. And in the ninth grade?

18 A. Correct.

19 Q. What was his reading level?

20 A. So the reading level was noted to be 1.
21 Percentile rank of 1, and grade equivalent, which I think
22 is important, of 2.7, which would indicate that he's at a
23 second grade level.

24 Q. When he was 17?

25 A. Correct.

1 Q. And what about his instructional reading level
2 in terms of -- I mean, what is an instructional reading
3 level?

4 A. Basically, it would be what level, like, for
5 example, a primer book, like, a second grade level is
6 recommended for instruction. So they're trying to
7 specifically recommend and tailor what type of remediation
8 he would require to build skills.

9 Q. What is the first percentile in reading?

10 A. So --

11 Q. What does that statistic actually mean?

12 A. So percentiles refer relative to a peer group.
13 So first percentile would simply mean that 99 percent of
14 his peers function at a higher level relative to the
15 individual.

16 Q. So that's low?

17 A. That would be low, yes.

18 Q. In terms of all of the educational records, did
19 you see any part of Thomas' academic history where he was
20 succeeding?

21 A. I did not.

22 Q. He was way behind in reading?

23 A. Correct.

24 Q. He was way behind in math?

25 A. Correct.

1 Q. He had speech and language difficulties?

2 A. Correct.

3 Q. He was failing his FCAT?

4 A. Correct.

5 Q. At eight years old, he had trouble learning and
6 was recommended for exceptional student at ESE?

7 A. Correct.

8 Q. But, yet, his teachers observed him to be
9 engaged as an elementary school student?

10 A. Correct.

11 Q. And you reviewed his Boca Ciega High School
12 transcript in conjunction with our past hearings, right?

13 A. Correct.

14 Q. He had poor grades in high school?

15 MS. SEIFER-SMITH: Your Honor, again, I would
16 ask that Ms. Russell not testify?

17 THE COURT: Rephrase your question, please.

18 BY MS. RUSSELL:

19 Q. Did he have poor grades in high school?

20 A. I'm sorry. Could you repeat that?

21 Q. Did Thomas Mosley have poor grades in high
22 school?

23 A. He did.

24 Q. And he dropped out in the 10th grade when he was
25 17 years old?

1 A. Correct.

2 Q. Okay. I'm going to switch gears for a minute,
3 Dr. McClain.

4 A. Certainly.

5 Q. I would like to talk to you about the medical
6 report and competency assessments performed by Dr. Lana
7 Tenaglia.

8 A. Yes, ma'am.

9 Q. Did you have a chance to review those?

10 A. I did.

11 THE COURT: What was the title of the document?

12 MS. RUSSELL: That would be Exhibit 7, Your
13 Honor.

14 THE COURT: That's already in, right?

15 MS. RUSSELL: Yes.

16 THE COURT: Thank you.

17 MS. RUSSELL: I have an extra copy of it.

18 BY MS. RUSSELL:

19 Q. Dr. McClain, I'm going to approach and hand you
20 what's been premarked as Exhibit 7, because I'm not sure
21 that you have a set of Dr. Tenaglia's reports --

22 A. Yes, ma'am.

23 Q. -- separate from the full set of records from
24 the South Florida Evaluation and Treatment Center.

25 Did you review raw data from Dr. Tenaglia?

1 A. I did.

2 Q. And did you review any notes from Dr. Tenaglia?

3 A. I don't have notes specifically written in her
4 handwriting, no. I have the Competency Assessment Tool,
5 which appears to just be maybe a computerized form that
6 they click or check certain --

7 Q. So you have Exhibit 7, which is her Competency
8 Assessment Tools and her computerized reports, but you did
9 not receive or review any handwritten notes from Dr.
10 Tenaglia?

11 A. No. I received raw data from the testing that
12 was done with the WAIS-IV, with the EIP, and with the
13 M-FAST, but I did not receive any notes.

14 Q. Do you routinely produce your notes in
15 litigation like this?

16 A. So I'm routinely asked to produce notes for
17 depositions, for example, and/or for the other side to
18 look at. I try to type my notes when that's requested
19 because I have poor handwriting, but I do try to maintain
20 those notes simply because they could have some specific
21 areas that are of interest as to competency or other
22 areas.

23 Q. So would Dr. Tenaglia's notes be important to
24 assess the accuracy of her diagnosis?

25 A. So based upon -- I'm going to base this on my

1 review of her report, and also on the Competency
2 Assessment Tool. Looking at some of the comments that are
3 made, it would be important to note what she's using to
4 infer that Mr. Mosley is competent.

5 And I'm specifically referencing -- I believe
6 it's page 3 of 5. There's a date of service of 1/30/2025,
7 and it says that he does not -- is not competent. Mr.
8 Mosley continues to present with a lack of factual
9 knowledge of the legal system. He did not want to -- when
10 asked to describe the allegations, it says he did not want
11 to because I feel like something bad will happen if I talk
12 about it.

13 I asked him what he believes will happen, and he
14 says, I don't know. I just don't want to talk about it.
15 But it says, overall, Mr. Mosley appears to be putting
16 forth poor effort towards competency assessments.

17 So there's certain things that would be helpful
18 to have more details about that given that there is a
19 documented history of expressive and receptive language
20 deficits. So it wasn't clear to me if it's articulation
21 issues, trauma issues, that any additional notes might be
22 real helpful in trying to figure some of that out, since
23 it is more complex.

24 And the poor effort, it wasn't clear to me if
25 it's poor effort or something more related to limited

1 language skills and/or trauma.

2 Q. Let's talk about the testing that you did in
3 conjunction with your evaluation of Thomas Mosley.

4 A. Can I just pause for a minute?

5 Q. Sure.

6 A. Because I think it's important while we're on
7 this, that there was another thing that brought me
8 concern, and it's relevant to competency, and that is on
9 2/25/25, there was a notation of Mr. Mosley is competent
10 to proceed on the basis of malingering.

11 So I didn't want to miss this because I didn't
12 understand it. So I was concerned because it didn't make
13 any sense to me. Then it talked about observations in
14 standardized testing reveal Mr. Mosley is likely feigning
15 psychiatric symptoms in putting forth poor effort towards
16 assessments.

17 Overall, it's my opinion he likely has a factual
18 and rational understanding of his legal charges and the
19 legal system and has the capacity to rationally disclose
20 pertinent facts to his attorney, manifest appropriate
21 courtroom behavior, and testify relevantly.

22 So I didn't see other information to help me
23 understand why that was the conclusion, meaning, what was
24 he actually reporting in detail as his answers.

25 Q. So notes would have been helpful to get to the

1 bottom of that?

2 A. Again, I was just trying to approach it
3 objectively to see was it because he is somehow
4 psychiatrically impaired by something that is causing him
5 to stop, like, the belief that something bad is going to
6 happen if he talked about it, or was it maybe expressive
7 language deficits, but it just would have been more
8 helpful to understand the conclusions.

9 Q. Okay. Dr. McClain, you're going to get a chance
10 to talk more about Dr. Tenaglia down the road a little
11 bit, but I still would like to try and focus on the things
12 that you reviewed in conjunction with preparing a report.

13 So can we switch gears now and talk about the
14 testing that you performed?

15 A. Yes, ma'am.

16 Q. All right. What test did you give Thomas
17 Mosley?

18 A. So Mr. Mosley when I saw him 3/28/25, I did
19 administer the Rey 15-Item Test. The reason being, it's a
20 very simple, concrete malingering test that brain-injured
21 individuals, slower individuals, you can give to because
22 it's very concrete. It's 15 items that consist of
23 alphabet, numbers, circle, square, triangle.

24 He was 15 out of 15 on it. And I did it because
25 I looked at what might be important to help clarify if he

1 was intentionally malingering, as noted in the hospital
2 report, or if it maybe was related to something else, like
3 speech and language deficits, reading comprehension level,
4 and intellectual disability.

5 Then as I sat back on the case and I was trying
6 to do a differential diagnosis, like, what is going on in
7 this case and his diagnosis? One of the things, because
8 of my training, that I thought of was, you know, with the
9 expressive and receptive deficits and the social deficits
10 that I was noting in my interactions with him and
11 observing him, I wondered if there may be a component of
12 autism, that he was possibly on spectrum.

13 So I asked permission from Defense Counsels, I
14 would like to just -- to be certain of what's going on
15 here, if it's psychosis versus intellectual disability
16 versus something else, so I asked to give the parents the
17 autism measure called the GARS, which is used in my
18 assessments with individuals where I think there may be
19 autism.

20 So I did do that testing, and that was completed
21 by the parents. And I also did adaptive testing separate
22 and apart from the compilation of, you know, his school
23 records and looking at those. And this adaptive measure
24 that I gave is a standard measure that we use to formally
25 identify and diagnose adaptive deficits relative to

1 intellectual disability.

2 Q. Dr. McClain, can we back up? The Rey 15. You
3 said that Thomas scored 15 out of 15?

4 A. Correct.

5 Q. What does that tell you about his level of
6 effort on that day?

7 A. Based upon that particular test, it would not be
8 suggestive of malingering. Again, no one test is going to
9 be like the litmus test for malingering. It has to be
10 taken in conjunction with other factors, but it did strike
11 me that there wasn't this effort to, like, I don't
12 remember anything, or drawing the same thing over and
13 over, which can happen. You know, it has happened.

14 So that, to me, was an indicator -- one
15 indicator, at least, that he was not malingering on that
16 particular day with me.

17 Q. You mentioned the ABAS. What does that stand
18 for?

19 A. So the ABAS-3 is the Adaptive Behavior
20 Assessment System Third Edition.

21 Q. What is that intended to tell you?

22 A. So it, basically, is a measure that is completed
23 by an informant that has knowledge of the individual
24 within the developmental window of zero to 18, now 22,
25 that's used to determine whether or not there were

1 adaptive deficits during that period of development.

2 It can be done, teachers can complete it,
3 parents can complete it, individuals can complete it on
4 themselves. I very rarely, if ever, do that, but I
5 typically will have the parent or parents complete it
6 because they would be most knowledgeable with regard to
7 the onset of the deficits and what they saw is occurring.

8 Q. How many questions are on the ABAS?

9 A. So on the Adaptive Behavior Assessment System,
10 there are 25 to 26 questions within each category. In
11 some, there's 20, 22, but, basically, it tests multiple
12 areas, and it helps to determine whether or not the
13 individual is having difficulties in communication,
14 community use, functional academics, home living, health
15 and safety, leisure, self-care, self-direction, social and
16 work.

17 So it breaks it down in a way that helps to
18 identify where the deficits occur and overall if they fall
19 within a range consistent with diagnosed intellectual
20 disability.

21 Q. So is ABAS a specific measure for adaptive
22 functioning with intellectual disability, or is it for any
23 kind of disability?

24 A. So the ABAS can be used, for example, with
25 autism to help tease out more of what level of autism they

1 have because to really -- for example, for a person to
2 qualify for services through the Agency For Persons With
3 Disabilities if they have autism, many individuals on the
4 spectrum can go out and they can even hold jobs, but some
5 or more profoundly impaired that would show up on the
6 adaptive functioning and the autism measure so as to
7 require, obviously, services -- specialized services.

8 But it can be used if there is just a question
9 from another type of disease process, Prader-Willi, you
10 know, different neurological disease processes for
11 children that they would require, for instance, cerebral
12 palsy or spina bifida, that they would require extra care
13 in those areas.

14 And, again, it goes into and breaks it down so
15 that you can see, like, even basic self-care, are they
16 able to do that without assistance?

17 Q. How does the data that you get from the ABAS
18 relate to the three domains of adaptive functioning in
19 intellectual disability diagnosis?

20 A. So the way that it would relate, adaptive
21 functioning is a very important component of the three
22 prongs of identifying intellectual disability. And those
23 areas, such as social, communication, practical skills,
24 conceptual skills, have to be markedly impaired to
25 diagnose intellectual disability.

1 If, for example, you have someone who is in the
2 average range for adaptive functioning, but they have a 70
3 IQ, one wouldn't lean towards identifying intellectual
4 disability because they're able to accommodate, you know.

5 If, for example, someone has an IQ of 60, and
6 their adaptive deficits are, for example, 55 or lower for
7 the different domains, then it would lean more to the
8 conclusion that we're looking at intellectual disability,
9 especially if it fell within that window of onset of birth
10 to 18 to 22.

11 Q. So tell me about the scores on the ABAS and what
12 they tell you?

13 A. Certainly. So just to reference, I'm looking at
14 the raw data, but it's in my report, the specific
15 percentiles, so that it can be followed.

16 So the specific percentiles, as we look at
17 adaptive functioning, which was completed by the parents,
18 just to clarify for the Court, looking at the specific
19 areas on adaptive functioning, his basic overall adaptive
20 functioning was extremely low.

21 It was at a general adaptive composite of 54,
22 which falls at the .1 percentile. And so that's saying
23 that overall, for this particular defendant, he's rated to
24 be 99.9 percent slower or lower compared to his peers for
25 his overall adaptive functioning.

1 And, again, just for clarification, this is
2 based upon the rating that was done by the parents for his
3 developmental upbringing, okay? So they're rating things
4 on all those different levels.

5 Now, for conceptual skills, he's a 54 or .1
6 percentile, so it's very consistent.

7 Social skills, a 56, or .2 percentile.

8 And then practical skills for a 51, or a .1
9 percentile.

10 Q. Are all of those more than two standard
11 deviations below the norm?

12 A. So using 100 as the average, three standard
13 deviations, of course, would be 45. So 100 minus 45 would
14 be at 55. So for those, we're actually looking at,
15 roughly, three standard deviations.

16 Q. Does the score on the ABAS tell you anything
17 about autism?

18 A. So autism, as defined in DSM-5-TR, does not rely
19 on adaptive functioning. It can basically affect all of
20 those areas, but it's really based more on specific
21 criteria that look at a pattern of behaviors, including
22 restrictive or repetitive behaviors, social deficits,
23 maladaptive speech, behavioral anomalies.

24 So it's different than adaptive functioning, but
25 adaptive functioning certainly is an important part of

1 that to determine what level of care would be needed and
2 what level of assistance.

3 So, for example, if I were to qualify someone
4 through the Agency for Persons with Disabilities for
5 autism, I would also do adaptive testing to look at is it
6 consistent with what's reported on the autism measure as
7 far as the level of care needed. Like, requiring
8 substantial support, requiring very substantial support.
9 So it could be helpful in determining that.

10 Q. Let's talk about the GARS.

11 A. Certainly.

12 Q. What is it?

13 A. So the GARS is essentially a measure of autism
14 that is based upon the DSM-5-TR that has specific areas
15 that identify deficits commonly seen with autism. And it
16 allows the reader, obviously, the person who has known the
17 person within that developmental window -- it could be a
18 teacher, it could be a parent, a grandparent -- to
19 basically rate the person in terms of restrictive or
20 repetitive behaviors, social interaction, social
21 communication, emotional responses, cognitive style and
22 maladaptive speech.

23 So it basically asks questions, and the format
24 for it is describing the behavior and then asking the
25 respondent to respond not at all like the person, not much

1 like the individual, somewhat like the individual, or very
2 much like the individual.

3 And once I get the form back, I then tally the
4 raw score for each area, and then there's a normative
5 book, and if they -- there's four that are used if the
6 person doesn't have speech, but there's six that are used
7 if they have speech. So all these areas would be scored
8 and then we get a relative percentile of likelihood
9 associated with autism.

10 Q. What did the GARS tell you?

11 A. So on the GARS, the rating scales that were
12 completed basically placed him at a level 2 of -- probably
13 would be a level 1, but a level 2 is requiring substantial
14 support. And then basically in connecting the adaptive
15 functioning with the GARS, it looks at what would this
16 person need to basically be able to function socially,
17 occupationally, and communication-wise.

18 Q. What does it tell you about autism?

19 A. That he would fall within the spectrum for
20 autism spectrum.

21 Q. Where does he fall?

22 A. That would be in a level 2 requiring substantial
23 support. And I just want to clarify, the behaviors in the
24 areas noted -- communication deficits were noted to be a
25 bigger area of deficits, emotional responses, speech and

1 language deficits were noted. Social interaction deficits
2 were noted, but not as much as social communication.

3 So there's a differentiation there. Meaning
4 that understanding of speech and language is coming from
5 other individuals processing and, you know, giving
6 appropriate response is more an area of concern for him as
7 opposed to actual interactions with peers.

8 Q. So since we're talking about test results, did
9 you rely on any test results from other experts in forming
10 your opinion?

11 A. So there was some concerns. The raw data that I
12 received from --

13 Q. Go ahead?

14 A. I was just going to say that there were IQ
15 scores produced by two experts, Dr. Tenaglia and Dr.
16 Railey, and I believe Dr. Tenaglia first conducted testing
17 while Mr. Mosley was in the hospital for psychiatric
18 stabilization, and I didn't really -- I didn't consider it
19 valid for several reasons.

20 But in looking at the order of testing,
21 malingering tests were given subsequent to the IQ testing.
22 So it was concluded he was malingering, but technically,
23 if the evaluator is going to do the malingering test, you
24 do them before the testing because then they would have
25 bearing on that day and that time, whether or not they're

1 motivated.

2 So I didn't really utilize that or assume that
3 that was accurate. I believe Dr. Railey also did testing,
4 IQ testing, and he used the WHODAS, W-H-O-D-A-S, a
5 disability rating scale. He did come up with results that
6 were consistent with intellectual disability on the
7 scores. I did not see the raw data. Again, he didn't
8 produce the raw data. I had a computerized printout for
9 it. So I know the one embedded measure within that test
10 vocabulary minus digit span was within normal limits, so
11 it didn't suggest malingering.

12 I don't know about the other one because I need
13 the raw data to look at that. It would be the reliable
14 digit span, but I do see that on the WHODAS, I believe the
15 parents might have been sent a link to complete that, but
16 I do not know how the part that rated Mr. Mosley was
17 completed, whether the doctor, Dr. Railey, did it or
18 Mr. Mosley did it, but there was extremely discrepant
19 results with no problems at all noted on when the parents
20 saying there were adaptive deficits and more in the severe
21 range.

22 Q. Okay. That's a lot to unpack. I want to back
23 up and ask you a few follow-up questions.

24 A. Sure.

25 Q. So when I asked you about testing with other

1 doctors. In short answer, you did not rely on the IQ test
2 from Dr. Tenaglia?

3 A. Correct.

4 Q. You did rely on the IQ test with Dr. Railey?

5 A. Dr. Railey's interpretive is valid in his
6 overall report, and it gave very specifics about scores.
7 And he felt that -- he felt it was higher, basically, is
8 what he's saying, but he didn't discredit it and say that
9 it's not valid.

10 Q. And Dr. Railey's full-scale IQ score was?

11 A. So his full-scale IQ was a 55.

12 Q. And from the data, you thought that the embedded
13 measures of malingering in the WAIS that Dr. Railey did
14 checked out all right?

15 A. Correct. There's no suggestion that Dr. Railey
16 did a specific malingering test in his report. What I
17 noted was that he was saying -- and I'm referencing his
18 report, page 7 of 11 -- he felt that the formal full-scale
19 IQ of 55 should be interpreted with caution, and he states
20 that his engagement in socially and cognitively complex
21 tasks combined with his suspected malingering supports the
22 conclusion that his genuine intellectual functioning is
23 considerably higher than formal scores reflect.

24 But what was not clear to me was his engagement
25 in socially and cognitively complex tasks. There is no

1 reference to what it is referring to. So without any type
2 of testing, I just had concerns about some of the
3 conclusions he made also above this scoring through autism
4 criteria, and he's talking about things that I don't see
5 any type of evidence for.

6 Q. Okay.

7 A. There's no reference, in other words, to school
8 records or something that would be.

9 Q. All right. We can get into Dr. Railey's report
10 in more detail later on, but since we're here on IQ and
11 relying on his IQ score, can you tell me what is the
12 practice effect?

13 A. So the practice effect, and in this particular
14 case, because of the proximity or the time that elapsed
15 between when Dr. Tenaglia tested Mr. Mosley, and Dr.
16 Railey, it would be expected there would be a practice
17 effect of 5 or more points because he's had exposure to
18 the test while he was at the hospital, and when he came
19 back, Dr. Railey tested him within what would be
20 considered the too soon a window of time.

21 Q. Normally, you're supposed to wait how long
22 between IQ tests if you give them the same WAIS-IV?

23 A. Approximately a year.

24 Q. And in this case, it was a matter of months. He
25 tested him May 12th.

1 THE COURT: So these numerous doctors that are
2 appointed for competency evaluations at any given
3 time, how do I fix that?

4 THE WITNESS: Your Honor, that's a brilliant
5 question. So, typically, when an individual is
6 appointed on a case like this, which is more complex,
7 the doctor is being able to communicate with the
8 other doctor technically should know to do that, but
9 the way to do it may be to say within the order
10 itself or when someone is being appointed to make
11 sure to touch base and find out with Defense Counsel
12 or State, you know, what doctors have been appointed,
13 because I routinely will ask, if it's Torrealday, for
14 example, Dr. Torrealday, I'll ask, What test did you
15 do? I don't want to be redundant. Or are they
16 Spanish speaking? Then we're going to let that hold
17 fast.

18 It becomes important, but I think the way, Your
19 Honor, is to find out which doctors have been
20 appointed for what reason. For example,
21 traditionally, one would not do IQ testing at the
22 state hospital if they're in there for psychiatric
23 reasons. So that was unexpected.

24 THE COURT: I'm fairly certain you recommended
25 that in the last hearing.

1 THE WITNESS: Correct.

2 THE COURT: IQ testing by the state hospital.

3 THE WITNESS: And neuropsych.

4 THE COURT: Yes.

5 THE WITNESS: But, traditionally, that wouldn't
6 occur. It would occur within the context of the
7 competency assessment.

8 THE COURT: We've been going for a bit. Let's
9 take a 10-minute recess. How much time -- I don't
10 care what the answer is. How much time do you have
11 left for Dr. McClain?

12 MS. RUSSELL: I would say two hours.

13 THE COURT: Okay. Well, let's take a 10-minute
14 break. We'll come back. We'll work until about
15 noon, and then we'll take a lunch break, then come
16 back after lunch.

17 (Break taken.)

18 BY MS. RUSSELL:

19 Q. Dr. McClain, I would like to start by asking you
20 about your recommendations about IQ testing at the state
21 hospital. Did you recommend that Thomas Mosley get IQ
22 testing at the state hospital in the last round?

23 A. My summary in my report details that I
24 recommended that he be evaluated for cognitive testing, as
25 well as intellectual testing, not specific to the state

1 hospital, but that he be tested.

2 Q. All right. Did you review any preliminary test
3 results from Dr. Amy Fritz?

4 A. I did.

5 MS. RUSSELL: All right. May I approach?

6 THE COURT: Yes.

7 MS. RUSSELL: I will hand you a copy.

8 THE COURT: What number is this?

9 MS. RUSSELL: This is Exhibit 8.

10 BY MS. RUSSELL:

11 Q. Let the record reflect I'm showing the witness
12 what's been premarked as Exhibit 8, which are preliminary
13 test results from Dr. Amy Fritz.

14 A. Correct.

15 MS. RUSSELL: We'd ask that those be admitted
16 into evidence.

17 THE COURT: Any objection?

18 MS. SULLIVAN: Just to clarify. This is just
19 the initial summary. She did not do a full report?

20 MS. RUSSELL: Correct. This is the original
21 summary. Dr. Fritz saw Mr. Mosley in a very short
22 amount of time. She gave us the test summary, which
23 Dr. McClain was able to rely on in her report.

24 Dr. Fritz's full report was only prepared and
25 completed last week, and at that point, I'm not sure

1 if it's been filed in the record yet.

2 MS. SULLIVAN: Perhaps not.

3 MS. RUSSELL: It hasn't probably been filed in
4 the record yet, but we will file it in the record,
5 and she's going to testify tomorrow.

6 THE COURT: Okay.

7 MS. RUSSELL: But for the purposes of relying on
8 the report, Dr. McClain was only able to have this
9 testing information. She didn't have the whole
10 report.

11 THE COURT: Okay. Any objection to this --

12 MS. SULLIVAN: No objection.

13 THE COURT: All right. It will be admitted as
14 Exhibit 8.

15 (Defense Exhibit 8 was admitted into evidence.)

16 BY MS. RUSSELL:

17 Q. So, Dr. McClain, in conjunction with your
18 evaluation, did you suggest that we potentially have some
19 speech-language testing completed?

20 A. Yes, ma'am.

21 Q. Did you get results from those tests?

22 A. Yes, ma'am.

23 Q. Tell me what you took away from the testing done
24 by Dr. Fritz?

25 A. So the testing was relevant to identifying and

1 clarifying his current functioning for speech and language
2 relative to the academic history of having speech and
3 language deficits. So it was helpful to see if those
4 deficits were consistent and identified by the current
5 speech and language therapist as compared with the past.

6 Q. So what did you learn from the cognitive
7 linguistic test?

8 A. So, basically, that he continues to have
9 deficits for speech and language that his functioning on
10 what we call the Peabody Picture Vocabulary Test was a 59.
11 So absent any of the other IQ measures that were done,
12 this is a reference to his estimated IQ, which I felt was
13 helpful separate and apart from teasing out with the
14 WAIS-IV, looking at this is consistent with him having
15 difficulty overall for his intellectual functioning, as
16 well as the expressive and receptive deficits.

17 Q. Was there anything specific from the Cognitive
18 Linguistic Quick Test, the CLQT?

19 A. Basically, the difficulties were with attention,
20 memory, executive functioning, language and visuospatial
21 skills, basically looking at overall functional cognitive
22 skills.

23 Q. What about Dr. Fritz's results in the Clinical
24 Evaluation of Language Fundamentals, the CELF-5?

25 A. So it basically was looking at his overall

1 expressive and receptive skills. So, basically, he
2 answered 20 percent of basic recall, and simple
3 inferential questions suggesting that -- and this is the
4 conclusion Dr. Fritz made -- was that Mr. Mosley's
5 expressive, receptive and pragmatic communication skills
6 are profoundly impaired.

7 Q. Looking at the CELF-5, there are different
8 subtests which tell us the age equivalent of different
9 listening and speaking skills; is that fair?

10 A. That's correct.

11 Q. Okay. So talking about following directions,
12 what was the age equivalent?

13 A. It was 7 years, 5 months.

14 Q. What about recalling sentences?

15 A. That was 12 years, 7 months.

16 Q. What about semantic relationships?

17 A. That was 7 years, 10 months.

18 Q. And what are semantic relationships?

19 A. Just understanding the relationship between
20 words. The meaning of words within context.

21 Q. And that has to do with your listening
22 comprehension?

23 A. Correct.

24 Q. What about word definitions?

25 A. Word definitions, he was at 12 years, 4 months.

1 Q. Anything about the Social Responsiveness Scale
2 Test that Dr. Fritz gave?

3 A. So in terms of -- the Social Responsiveness Test
4 was of particular interest to me in terms of differential
5 diagnosis because of the correlation with the Autism
6 Diagnostic Observations Schedule. So Dr. Fritz is saying
7 that his results for expressive and receptive difficulties
8 are very similar to individuals with Autism Spectrum, and
9 that, basically, there was impaired range for social
10 communications, social cognition, and social motivation,
11 but she noted little to no presence of restrictive
12 interest or behaviors, which is a component of autism.

13 So it indicated in here that his parents were
14 given that measure to complete, and that she wanted to
15 take a look at that to see if it was consistent with him
16 reporting restrictive behaviors or repetitive behaviors to
17 rule out Autism Spectrum.

18 Q. And are these the type of tests that are
19 normally given and relied upon by experts who are making
20 diagnoses of autism or intellectual disability?

21 A. So the ADOS, yes, it is.

22 Q. Anything else?

23 A. So the other test, these are more speech and
24 language in terms of what I see here, but that would be
25 the ADOS is a common one.

1 Q. Did you do any collateral interviews with family
2 members, Dr. McClain?

3 A. So I did speak with family. I spoke on July
4 1st -- I spoke with mom and dad. I was able to speak with
5 both mom and dad that day and was able to identify some
6 examples in some areas that both parents consistently
7 thought were affected in terms of comprehension,
8 understanding of social situations.

9 For example, sports, when engaging in sports
10 that Mr. Mosley had attempted to play football but was
11 confused about the rules as to which way to run, and he
12 ran it towards the other goal for the opposite team, or
13 understanding the rules of sharing, of sharing a football,
14 passing a football, some simple things.

15 Also, dad did note that he had tried to work in
16 carpentry or tried to assist him but had a lot of
17 difficulty understanding what to do and following through.

18 Mom just stated that, basically, she became
19 aware of his language problems more when he entered formal
20 school and started to get the notes from school, but that,
21 you know, it wasn't as evident to her until he actually
22 entered formal school that it was that pronounced that he
23 had difficulties.

24 Q. Did it appear to you, from talking to her and
25 reviewing the records, that she was very involved in his

1 educational struggles?

2 A. Yes.

3 Q. Why is that?

4 A. Just that she had tried to intervene to get
5 different resources available to him and participated in
6 the IEPs and stayed involved. She did note that there
7 were some instances of him being bullied in school and
8 becoming more depressed.

9 Q. All right. Dr. McClain, I would like to switch
10 gears for a minute and talk about your personal
11 evaluations of Mr. Mosley. You've done six evaluations of
12 him over the past two years; is that right?

13 A. Correct.

14 Q. And I'd like to just note for the prior that you
15 gave prior testimony in this case on June 28th in 2024,
16 and that you have filed two prior reports; one on July
17 21st of 2023, and one on June 13th, 2024?

18 A. Correct.

19 MS. RUSSELL: So we would like to reference all
20 of those by judicial notice.

21 BY MS. RUSSELL:

22 Q. You originally, diagnosed Mr. Mosley with
23 schizophrenia?

24 A. Correct.

25 Q. And what else?

1 A. So originally with schizophrenia and depression,
2 and then progressively, as we received more information, I
3 did diagnose him with developmental disorder based upon
4 additional information.

5 Q. Okay. We're going to get to those diagnoses
6 right now in a bit, and we're going to ask you many
7 questions about those, but can you just give us a brief
8 answer: What's different now?

9 A. What's different in terms of?

10 Q. How do you go from schizophrenia to autism and
11 intellectual disability?

12 A. So, basically, there's differential diagnoses,
13 and there can be what we call "comorbidity," meaning that,
14 for example, autism and schizophrenia can both exist at
15 the same time.

16 So the delicate part is making sure to be
17 accurate in the diagnosis so that it's not just put out
18 there without any basis for it, but the difference is
19 time, and the difference is that there has been some
20 testing done. There's been review of the records and, you
21 know, just multiple interactions with Mr. Mosley that have
22 helped to, I think, clarify, at least for my particular
23 purposes, diagnostic issues.

24 Q. And medication?

25 A. So, interestingly, his medication has been

1 changed. He was on this Zyprexa, but apparently,
2 according to the jail records, he now is taking Prolixin
3 instead of the Zyprexa.

4 Medications are prescribed. However, there has
5 been some recent, within the month of June, refusals for
6 those medications that have most importance for
7 stabilization of his mental health issues. Specifically,
8 he is on melatonin, trazodone, and Prolixin and Zoloft and
9 those particular meds are the ones that he has been
10 refusing recently in the jail.

11 Mr. Mosley had actually told me that on my last
12 meeting with him, and so I requested the updated records
13 to verify that was, in fact, the case, and it is noted in
14 the jail records. He is, however, taking his thyroid
15 medication, the levothyroxine, and I believe it is a stool
16 softener.

17 Q. When did you first see Mr. Mosley after he
18 returned following his 83-day stay at the South Florida
19 Evaluation and Treatment Center?

20 A. So I believe that was 3/28/25.

21 Q. And how long were you with him that day?

22 A. I would say approximately an hour.

23 Q. And did you give any tests?

24 A. I did. I did the Rey-15 Item Test.

25 THE COURT: The what test?

1 THE WITNESS: The Rey-15 Item Test. It's a
2 malingering screen.

3 THE COURT: Rey is spelled how?

4 THE WITNESS: R-E-Y.

5 BY MS. RUSSELL:

6 Q. And you talked about his results on that, 15 out
7 of 15?

8 A. Correct.

9 Q. Which meant to you that he was?

10 A. It was not suggestive of malingering.

11 Q. Did you spend some time evaluating his affect?

12 A. Yes.

13 Q. What did you learn?

14 A. Just in terms of affect, his overall
15 presentation was still very flat affect, in terms of his
16 emotional expression. There was not a lot of emotional
17 responsiveness, but there has not, to date, been any type
18 of real variation in that. So it was consistent with my
19 prior evaluations.

20 So in terms of looking at rate of speech, those
21 types of things, he's still fairly slowed in his speech.
22 Not a lot of production of speech spontaneously,
23 nonetheless, answering questions.

24 Q. How did his comprehension seem to you?

25 A. So comprehension, for simple questions and

1 statements, was good. He did not exhibit comprehension,
2 like, on a bigger picture, more complex things, but on
3 simple things like, Are you eating okay? Are you sleeping
4 okay? Asking specifics about some of the symptoms that
5 have been problematic, such as seeing things, he was able
6 to answer that. So I found him to be attentive or
7 responsive. Again, simplistic.

8 Q. What about his presentation suggested to you
9 that autism might be a potential problem?

10 A. So consistently in my interactions, I had
11 observed times where there was avoidant eye contact.
12 Times when there was very flat emotional expressions, such
13 as I see, you know, even in this most recent eval.

14 Also, just language, expressive and receptive
15 speech issues that I thought were very much consistent
16 with possible autism, and also having some difficulties of
17 understanding of social situations.

18 Q. What about the way he presented made you suspect
19 that he had intellectual disability?

20 A. Well, that was based upon, you know, him being
21 very simplistic in his speech, and his understanding of
22 situations, but also the school records, quite frankly,
23 with the degree of deficits that were noted for speech and
24 language. And, again, it can actually just be like a
25 learning disability, speech and language problems, but

1 then it can also be reflective more of an intellectual
2 disability or autism.

3 So just started to open the door to is this
4 really what we're seeing in just expressive and receptive
5 language deficits, or is it a part of a bigger more global
6 neurodevelopmental disorder?

7 Q. You saw him for a sixth time?

8 A. I did.

9 Q. June 27th?

10 A. Correct.

11 Q. How long were you with him?

12 A. I would say like maybe 20 minutes, 25 minutes.

13 Q. Did you give him any tests?

14 A. I did not test.

15 Q. How did he present?

16 A. So he was responsive to my questions. He
17 volunteered about the medication, because I asked him if
18 he was taking his medication, and he said, I haven't been
19 taking my psych meds because of side effects. And then we
20 just revisited the competency questions again because it
21 had been around three months since I had talked to him.

22 And I found consistency in the ones where I
23 found him to be aware of his charges, potential penalties,
24 to understand the adversarial nature of the legal process,
25 and I thought overall for behavior, even though he's got

1 some deficits, I felt he would be able to be compliant and
2 not act out in the courtroom.

3 While he might have some difficulties expressing
4 himself, that with appropriate help from attorneys could,
5 you know, help to kind of accommodate that, to some
6 extent. So I found him acceptable in that area, even
7 though there were some negative symptoms of the
8 schizophrenia and the expressive and receptive deficits
9 that might affect his ability, for example, to understand
10 what the judge is saying or respond appropriately.

11 Q. Since you used the term, can you tell us what
12 negative symptoms of schizophrenia are?

13 A. Yes. Negative symptoms are in contrast to
14 positive symptoms of schizophrenia, such as delusions,
15 hallucinations. Negative symptoms have more to do with
16 the lack of motivation, the lack of social responsiveness.

17 Things such as poor hygiene, not attending to
18 their self-care. You know, just not engaging in the
19 environment as much, and it can have to do with internal
20 stimuli or just that area of the brain is impacted by the
21 disease processed.

22 Q. And, in general, medications treat the positive
23 symptoms of schizophrenia, but not necessarily the
24 negative symptoms; is that fair?

25 A. Well, anti-psychotic medication would typically

1 deal with more of the positive symptoms. Whereas, for
2 example, with low motivation, what would appear to be
3 depression, it can treat with an antidepressant and make
4 some progress, but not always.

5 Q. Did you write a report in this case, Dr.
6 McClain?

7 A. I did.

8 Q. Your report is Exhibit 9?

9 A. Correct.

10 Q. How has Thomas Mosley's condition changed over
11 the past two years you've known him?

12 A. So in terms of the past two years, I would say
13 that I have seen more improvement in him, in terms of him
14 responding more. I think that, actually, you know, there
15 has been progress. Even though he has the positive
16 symptoms of what we would call -- I'm going to be specific
17 on this -- seeing blood in his eyes, seeing images of
18 blood, those types of things, it's not something where
19 it's constant as much as it was before. It's more
20 specific, like, being in water, that type of thing.

21 So I think there's been some relative
22 improvement with the medication and also just with the
23 structured environment he's been in, but the main areas
24 where it seems consistent with minimal progress is more of
25 the language, speech and expressive deficits.

1 So that's why I really started thinking more
2 about, you know, what is causing the issue that otherwise
3 would allow him to go through and be fully competent to
4 proceed. And in talking with him, you know, he maintains
5 consistency.

6 I think in the areas where I found him
7 acceptable, the biggest thing is just concerns about his
8 ability to comprehend information, respond, and articulate
9 responses. For example, if he were to take the stand or
10 if, for example, on something like an insanity case,
11 whether or not he would be able to relate and put into
12 words his recollection and formulate his understanding of
13 what occurred.

14 So those were my main concerns. It's not the
15 basics of, you know, understanding the adversarial nature
16 of the legal system, his charges, what could happen. I
17 think he's good on those areas. So that's been consistent
18 the last few times I've seen him, but the deficits for
19 speech and language have not been remediated, so to speak.

20 And in the last evaluation what -- you know,
21 what I focused on more was how could it be selectively
22 addressed to rehabilitate those areas so that he can
23 rationally communicate with his attorneys about the
24 specifics on his case.

25 That may also be affected by his mental health

1 issues or beliefs about -- he talks about something bad
2 could happen, other than the legal consequence, of course,
3 but, you know, I'm not sure what's underlying that, but it
4 was referenced in the hospital notes.

5 So I think that -- I do think he could become
6 competent. I just think those areas represent consistent
7 deficits for him that need to be remediated, and the focus
8 may be -- or the answer may be to shift focus to provide
9 him with a therapeutic environment that would help him
10 with his intellectual deficits and developmental
11 disability.

12 Q. What did you diagnose him with just briefly?
13 We're going to go through the criteria for all of the
14 diagnoses, but I'm just curious what you diagnosed him
15 with?

16 A. So I diagnosed him with major depressive
17 disorder with psychotic features, unspecified
18 schizophrenia and other psychotic disorders, Autism
19 Spectrum Disorder with intellectual language impairment,
20 intellectual developmental disorder, generalized anxiety
21 and cannabis use disorder, which technically would be in
22 remission at this point.

23 Q. So he's got schizophrenia, autism, and
24 intellectual disability?

25 A. Correct.

1 Q. Let's talk about your diagnosis of intellectual
2 disability. What are the DSM-5 criteria?

3 A. So the DSM-5 criteria are that the person has
4 intellectual deficits as measured by standardized
5 instrument that are at least two standard deviations below
6 the average, which would be 100, plus or minus 30 or 70.
7 Then there's the standard error of measurement, which
8 would be considered, for example, if it was 73 versus 67.

9 It also involves adaptive deficits, social,
10 occupational, academic functioning are areas.
11 Communication would be an area. It also entails that it
12 occur within the developmental window from birth until
13 adulthood.

14 Q. So a developmental window, in terms of the
15 DSM-5, is what age?

16 A. 18.

17 Q. In terms of the new DSM-5-TR?

18 A. 22. It's up 22 now.

19 Q. Do you know anything about what the Florida law
20 says, in terms of the developmental period for ID?

21 A. So I know what the American Association for
22 Intellectual and Developmental Disabilities says in terms
23 of that.

24 Q. Would it surprise you if Florida law says 18 as
25 an age of onset?

1 A. It would not surprise me.

2 Q. Okay. What about the AAIDD criteria?

3 A. So that would involve the same type of deficits,
4 but up to age 22.

5 Q. Did you do any IQ testing?

6 A. I did not test him for IQ testing.

7 Q. So what are you using to validate the IQ portion
8 of the diagnosis?

9 A. So based upon even just the PPVT, that's what
10 was done by the speech and language therapist, it was
11 within that window. It was below 60, but also
12 cumulatively looking at what they did find.

13 For example, Dr. Railey, that he falls in the
14 low range across the board, I think it's important to
15 consider that that test was done with those results
16 barring that -- I mean, I didn't see anything suggesting
17 he was malingering that was done, as far as testing him,
18 in Dr. Railey's evaluation. So I found that the scores
19 were low.

20 In comparison to Dr. Tenaglia's data, there was
21 a practice effect, and if it was just purely a case of
22 malingering, he wouldn't hypothesize that you would see
23 that. It would just bomb it out, but I didn't see that.
24 And the pattern -- looking at the pattern, cumulatively
25 with the school records looking at the receptive and

1 expressive deficits, I think it is suggestive of
2 intellectual disability.

3 Q. So with that prong of IQ, do you think that
4 Dr. Railey's IQ score was valid, even though there was a
5 potential practice effect?

6 A. I think Dr. Railey's testing, to me, looks
7 valid, looking at the embedded measure that I saw. Again,
8 I don't have his raw data, so I would look at things --
9 just for the Court's clarification, I would look at
10 individual responses and patterns, what they call
11 intrasubtest scatter, if I had it, because it would show
12 if he, for example, got tough items, but failed easy
13 items, right?

14 So there's another pattern of responding one can
15 look at, which would suggest it makes no sense. So he is
16 probably faking. But I think that, at this point in time,
17 I think Railey's results that I see for the IQ testing
18 would appear to be valid.

19 Q. And you said that the vocabulary minus digit
20 span was normal, that's the embedded measure?

21 A. Correct. It would have to be over five points
22 difference, and it's not.

23 Q. Let's talk about the second prong of adaptive
24 functioning.

25 You did testing for that?

1 A. I did.

2 Q. And that showed?

3 A. That showed that he was in the low percentile, a
4 .1 percentile overall.

5 Q. And you did collateral interviews?

6 A. Yes.

7 Q. And you also made personal observations?

8 A. Correct.

9 Q. Tell me what your observation is of Thomas
10 Mosley's deficit in the social domain?

11 A. So in the social domain, in terms of
12 interactions, he is able to initially acknowledge, for
13 example, myself and/or his attorney.

14 As far as carrying on independent, spontaneous
15 speech, that does not occur. I have observed and was
16 provided with interactions with different people, video
17 interactions, and there was some, again, responsiveness to
18 the questions that are being asked.

19 So I think he's capable of communicating, but
20 it's very simplistic and very specific. Again, that is
21 largely probably a measure more of his limited intellect
22 but limited expressive and receptive skills. In other
23 words, there could be a desire to engage more, but having
24 the capability of doing that is something different.

25 Q. Were there any other deficits that you noticed

1 in his social domain?

2 A. No. I think those are the primary ones in terms
3 of just understanding, like, in social communication. One
4 example of something I noted in an interview with counsel
5 is, he was just talking about a hearing that had occurred
6 and what he took from it or understood from it, and there
7 was no awareness of what even had interacted. Meaning,
8 that a hearing where another expert had come and talked.
9 So I think there is some lack of ability to focus and then
10 retain information that's going on. If the level -- the
11 complexity is above what he's capable of understanding.

12 Q. What deficits did you notice and document in the
13 conceptual domain, either through your testing with the
14 educational records or any other materials that you used?

15 A. So I think that just harkening back to the
16 academic records, just looking at, you know, while he's
17 exerting effort to try to wrap around information in like,
18 for example, the academic context, he's still struggling
19 to get it to work and he's exerting effort, but it's not
20 sticking.

21 So it's kind of the same pattern of exerting
22 effort, but not sticking, as it needs to. And specific
23 to, you know, working with legal counsel or interacting in
24 the courtroom or going to trial, I think he still does not
25 have that skill set that would allow him to, you know,

1 exercise those types of skills and abilities contextual.

2 Q. What other deficits did you notice in the
3 conceptual domain? For example, reading skills? Math
4 skills?

5 A. That would be more relevant to the academic
6 records and looking at that. I think that the issue is
7 sometimes it's confused that the person is not motivated
8 and they're not trying, as opposed to they're not getting
9 it or understanding it.

10 So I think it's an important distinction in the
11 case because for the questions about, for example, just
12 relative to competency, what could happen if you're found
13 guilty? What is your lawyer supposed to do? He's getting
14 those things, so the well-learned repetitive things he's
15 getting.

16 So I think it's more a matter of leaving the
17 practical to, you know, conceptual, being able to
18 understand in context. If I plead out, what could happen?
19 What does it mean if I plead not guilty? If I go to
20 trial, what if I lose the trial and took the stand? Those
21 types of things.

22 So the basics are there, but I think
23 conceptually he's just having trouble contextually
24 applying that and what would happen. Sometimes I think
25 that's why, when interacting with him, it doesn't go all

1 the way. It doesn't connect.

2 Q. So, Dr. McClain, with regard to your diagnosis,
3 I'm talking about adaptive functioning deficits that you
4 noticed in the three domains in all the record, all the
5 testing from the developmental period, not necessarily,
6 like, today, now in June and July.

7 A. Okay.

8 Q. So can we go back, and can you tell me about the
9 social deficits that you noticed in the social domain? I
10 want to go through all three domains, if possible.

11 A. Okay. So in terms of social and what I noticed.
12 Just in terms of his ability to, for example, initiate
13 conversation, his ability to maintain conversation. It's
14 kind of a flatliner. It doesn't happen.

15 Q. Right. Anything else in the conceptual domain
16 in terms of adaptive functioning deficits during the
17 developmental period that you noticed in your records or
18 testing?

19 A. So in terms of the adaptive deficits, just his
20 ability -- and this was noted on the parents' information
21 that I can help to elaborate, maybe, for the Court,
22 because I did ask them to complete that, so I did take it
23 into consideration.

24 But in terms of communication, basically, some
25 of the things just acknowledging hello, goodbye, for

1 example, being a part of that. Like, consistently doing.
2 That was noted as an area of deficit.

3 Basically, shaking head yes or no to something
4 that was a yes, being able to do that, shake his head yes
5 or no.

6 Some things that they noted, naming the home
7 address, including the ZIP code. Sometimes he was able to
8 do. Naming 20 or more familiar objects was an area of
9 concern that the parents had. Talks about educational and
10 realistic goals was a big deficit, okay? So being able to
11 communicate that.

12 In terms of, in particular, some of the social
13 things that were noted, basically, having one or more
14 friends was not consistently endorsed. In other words, he
15 had difficulty making friends and keeping friends.

16 Another area was when asking for something,
17 saying please. Just a normal, social etiquette was an
18 area of concern they expressed.

19 Being able to express feelings, to identify
20 feelings. Words such as angry, sad, happy, those were
21 areas that the parent noted were issues for him. So
22 feeling words.

23 In terms of makes or buys gifts for family
24 members, offers others food or beverage. So those types
25 of social deficits. Like, actually engaging in a

1 relationship and being responsive.

2 Q. Then what about in the practical domain during
3 the developmental period?

4 A. Just in terms of work, the descriptions given
5 about work and sports, for example, whether he had
6 difficulty. Being able to do that. Being able to
7 maintain employment or follow through on a task that was
8 given to him.

9 In terms of home living skills, being able to
10 assist in projects at home was noted as an area of
11 deficit. Keeping working on important tasks. So his
12 focus to maintain commitment to the task was an area of
13 deficits. Putting things in their proper place was noted
14 as an area of deficit, as well as cleaning his own
15 personal space.

16 Q. So you noticed deficits in all three of the
17 domains?

18 A. Correct.

19 Q. What about the age of onset?

20 A. So the age of onset, in talking with the
21 parents, their awareness of specific deficits, mom in
22 particular, was not until really he started school, and it
23 was primarily for speech and language at that point in
24 time.

25 Q. Does Thomas Mosley have intellectual disability?

1 A. Yes.

2 Q. Is Thomas Mosley incompetent due to intellectual
3 disability?

4 A. So my opinion is that he is incompetent due to
5 his developmental disability, and I think it's primarily
6 intellectual disability. I do think components of the
7 spectrum play into it, but it's heavily loaded for
8 receptive and expressive language deficits in the social
9 aspects of the disorder. But I think that he definitely
10 does present with low intellect, as well as adaptive
11 deficits.

12 Q. So you've tested Thomas Mosley. You've
13 evaluated Thomas Mosley. You've read his educational and
14 his medical records. You've interviewed family members,
15 and you've now known Thomas Mosley for more than two
16 years, do you feel that he is able to disclose to counsel
17 pertinent facts at the present time due to intellectual
18 disability?

19 A. So over the course of the times that I've seen
20 Mr. Mosley, at no time has he conveyed pertinent facts
21 relative to the offense itself, and I think that it has to
22 do with the intellectual disability, but I also think
23 there's a component that's related to, obviously, the
24 offense itself, and what could be potential mental health
25 issues around that, but that that has to be ferreted out

1 more to be clear so that he can articulate.

2 And how that should be done is, you know, I
3 think done within the context of a hospital, obviously,
4 because it could be extremely traumatic, also. I think
5 relatedly, you know, that medication stabilization is
6 still going to be an important part of this picture
7 because the symptoms of psychosis or depression need to be
8 kept in check while the other areas are being addressed,
9 meaning, intellectual disability.

10 Q. Do you feel that Thomas Mosley is able to
11 testify relevantly at the present time due to intellectual
12 disability?

13 A. I do not.

14 Q. Why not?

15 A. Because Mr. Mosley is not demonstrating anything
16 beyond a very concrete response. And I think that the
17 issue would be in a context where there's compound
18 sentences, where there's legal terminology that may be
19 beyond what his capability of understanding is right now,
20 that it could be difficult.

21 And the risk of him responding inappropriately,
22 because he doesn't understand it, is high, or just
23 agreeing to something that may not be really something
24 that he should agree to but feels he should agree because
25 of the situation.

1 In other words, not that he's being coerced in
2 any way, but just that he may not understand something
3 that's being asked. For example, if he takes the stand
4 and something is being asked, you know, his ability to
5 comprehend and then respond appropriately is going to be
6 limited.

7 Q. Does the literature and science talk about the
8 difficulties of people with intellectual disability
9 testifying?

10 A. Correct.

11 Q. What does it say?

12 A. Well, there are several things. One is the
13 desire to please or to respond; and another is, you know,
14 just not comprehending it, and so being quiet, which could
15 be perceived as not being responsive. So it kind of goes
16 both ways.

17 In certain situations, it could be interpreted
18 as deception if a person doesn't respond. That they're
19 not responding because they don't want to get in trouble,
20 or they, you know, know that there could be a bad legal
21 consequence.

22 Q. Is that one of the reasons people with
23 intellectual disability are exempt from the death penalty
24 under Atkins, Hall, and Moore?

25 A. Well, the difficulties with intellectual

1 disability, comprehension, understanding, intent all of
2 those things play into that, yes.

3 MS. RUSSELL: All right. I'm at a good stopping
4 place, and when we come back, we can discuss autism.

5 THE COURT: Sounds good. It is noon, and we're
6 going to come back at 1:15. Thank you.

7 (Lunch break taken.)

8 THE COURT: All right. Are you ready to have
9 Dr. McClain back up?

10 MS. RUSSELL: We are.

11 THE COURT: Okay. Whenever you are ready.

12 MS. RUSSELL: Your Honor, I know that I promised
13 we were going to start with autism, but I wanted to
14 clear up a couple of things from the earlier
15 testimony on intellectual disability, if I might?

16 THE COURT: Sure.

17 MS. RUSSELL: May I approach?

18 THE COURT: Uh-huh.

19 MS. RUSSELL: I'm just going to hand you copies
20 of the two pages in the IA -- AAIDD manual and the
21 DSM-5 that I'm going to ask some questions to
22 Dr. McClain about.

23 THE COURT: What do you have? Just can you tell
24 me the exhibit number?

25 MS. RUSSELL: Oh, yes. I'm sorry. Let's see.

1 Exhibit No. 11 is the DSM-5-TR, and Exhibit 10 is the
2 AAIDD manual.

3 THE COURT: AAIDD manual. Okay.

4 MS. RUSSELL: Correct. Twelfth edition.

5 THE COURT: Thank you.

6 MS. RUSSELL: And, you know, I'd submit there
7 are learned treatises. I only want to admit them
8 into evidence so that the record can be more clear
9 about what we were talking about.

10 THE COURT: Sure.

11 MS. RUSSELL: The State doesn't have any
12 objection.

13 THE COURT: Any objection to those?

14 MS. SULLIVAN: No, Your Honor.

15 THE COURT: Okay. They will be admitted as 10
16 and 11.

17 (Defense Exhibits 10 and 11 were admitted into
18 evidence.)

19 BY MS. RUSSELL:

20 Q. All right. Dr. McClain, you're familiar with
21 the AAIDD manual?

22 A. Yes, ma'am.

23 Q. Twelfth Edition?

24 A. Yes, ma'am.

25 Q. I see you have your copy there in front of you.

1 A. Yes, ma'am.

2 Q. Let's talk about what's on page 30, Table 3.3,
3 which gives examples of significant limitations in
4 adaptive behavior in the conceptual, social, and practical
5 domains; is that fair?

6 A. Correct.

7 Q. Are you on the page?

8 A. Table 3.3? Yes.

9 Q. Excellent.

10 Could you tell me what conceptual skills on that
11 table that you have recognized in Thomas Mosley's
12 evaluations?

13 A. So to give you examples of significant
14 limitations in terms of specific examples for impaired
15 independent planning, problem solving, or thinking
16 abstractly, which I think applies with Mr. Mosley,
17 especially the thinking abstractly. Difficulty with
18 academics, reading, writing, and arithmetic. Difficulty
19 in self-direction, planning future, life activities.

20 There's also difficulty noted for effectively
21 communicating thoughts or ideas, and also difficulty in
22 choosing good solutions when confronted with a problem or
23 situation.

24 Q. And what about deficits in social skills?

25 A. So the deficits in social skills, based upon

1 both the testing administered with the parents' input, as
2 well as my own interactions, impaired social or
3 interpersonal skills, difficulty in working effectively
4 with others towards group problem solving, and flexible
5 and concrete thinking and acting during complex social
6 situations.

7 There's a note of increased vulnerability,
8 victimization, which I didn't find to apply specifically.
9 I did not see examples, concrete examples of that in my
10 interactions with Mr. Mosley, but it is noted in the
11 chart.

12 Inadequate social responding and social
13 judgment, I do see that and make note of inadequate social
14 responding. Tendency to deny or minimize the disability
15 to their detriment. I do see decreased insight and
16 awareness on Mr. Mosley's part about the extent and nature
17 of his deficit.

18 Q. That's the cloak of competency?

19 A. Yes.

20 Q. What's that again?

21 A. Basically, what it would be is that the person
22 tries to appear like they're functioning normally and
23 respond in social situations and even overcorrect or try
24 to overcorrect and present as if they get something when
25 they don't.

1 Q. And is that one why self-reported symptoms of
2 intellectual disability are disfavored --

3 A. Correct.

4 Q. -- when used alone?

5 A. Correct.

6 Q. And is it a normal part of the diagnosis to do
7 collateral interviews with parents?

8 A. Yes.

9 Q. And that's what the ABAS does?

10 A. Right. It's not just parents either, if
11 available. It's also teachers and other individuals.
12 Say, for example, if they're in a group home, the behavior
13 analyst is frequently spoken to with regard to how they're
14 progressing and addressing maladaptive behaviors. So
15 collateral information from various sources can be very
16 valuable.

17 Q. All right. What about practical skills?

18 A. So in terms of practical skills, that refers
19 more to limitations in self-care, attending to hygiene.
20 Domestic skills is keeping their area clean around them.
21 Following through on chores is they started to finish it
22 or do they need prompting, that type of thing.

23 And the adaptive behavioral measure completed by
24 parents suggested that there were difficulties with that
25 for Mr. Mosley.

1 Q. Okay. Dr. McClain --

2 MS. RUSSELL: May I approach?

3 THE COURT: Yes.

4 BY MS. RUSSELL:

5 Q. Dr. McClain, I'm handing you what's been
6 premarked as Defense Exhibit 11, which is the front cover
7 of the DSM-5.

8 A. Yes, ma'am.

9 Q. Along with a picture of a chart consistent with
10 domains for mild intellectual disability. That would be
11 Table 1 on page 39.

12 A. Correct.

13 Q. Could you look at the list of deficits in
14 conceptual domain and tell me which of those you believe
15 Thomas Mosley has.

16 A. So the primary deficits that I noted in the
17 review of school records that we were going through
18 earlier, section by section, was academic deficits for
19 reading, for arithmetic, speech and language receptive,
20 expressive deficits.

21 Also, the fact that even given the remediation,
22 he didn't show improvement or very minimal improvement, so
23 I think that also is noted there.

24 In terms of other, you know, like, functional
25 use of academic skills, again, that would apply because

1 he's got deficits in those areas, he's not able to apply
2 it. For example, a practical goal of getting his GED or
3 his high school diploma.

4 Q. What about Thomas Mosley's deficits in the
5 social domain?

6 A. So in the social domain, based upon my
7 interactions and also looking at the autism measure that
8 was completed by the parents and the adaptive measure,
9 there are deficits in his ability to respond in social
10 situations, initiate and maintain ongoing conversations.

11 So I see that there are definitely deficits
12 within that area that are manifest in the school records,
13 but also in what the parents are reporting occurred during
14 that developmental window.

15 Q. Was he gullible?

16 A. So in terms of gullibility, I don't have a
17 specific example of gullibility or where he would have
18 been had by another classmate or something, but certainly
19 the lack of ability to anticipate potential social, you
20 know, responses would lead to be more vulnerable.

21 Q. What about Thomas Mosley's deficits in the
22 practical domain listed there on Table 1?

23 A. Okay. So, basically, in talking with parents in
24 the interview July 1st, 2025, that I had with them, as
25 well as looking at their responses, to practical things

1 such as taking care of himself, understanding finances.

2 There was a good example given by father of him
3 thinking if he made a payment for a car, it meant the car
4 was paid for and not understanding the concept if you pay
5 it monthly. So his knowledge of, for example, financial
6 things is somewhat limited.

7 The other thing is that, practically, just being
8 able to follow through at work, you know, through tasks to
9 be able to maintain employment was a problem that he had.

10 So those are things that basically the parents
11 had pointed out both in their completion of the measures
12 that were provided in the practical domain within the
13 ABAS.

14 Q. Did Thomas Mosley have adaptive strengths?

15 A. So in terms of adaptive strengths, to my
16 knowledge, there's not something that I see that has
17 emerged as a strong point for compensatory strategies.

18 Q. But we don't balance strengths against deficits,
19 do we, in the diagnosis of ID? Isn't it just the presence
20 of deficits that's relevant?

21 A. So it doesn't specifically look at if the person
22 is able to compensate. I will offer to the Court and to
23 the lawyers that we have what we call trainable mentally
24 handicapped and educated mentally handicapped, and in that
25 phrase is implied that, yes, they can benefit from some

1 training and education. Whether or not that works or not
2 over time just depends.

3 But, essentially, in the records reviewed and
4 the academic records reviewed, there were attempts to
5 remediate his, for example, reading deficits or language
6 deficits. They did not show, even with extra support,
7 that he was making much headway.

8 Q. All right. Thank you, Dr. McClain.

9 I'd like to switch gears and talk to you about
10 autism at this point.

11 What is autism?

12 A. So autism is a neurodevelopmental disorder that
13 begins within the window of childhood. Typically
14 diagnosed in childhood, but many times it is in adulthood
15 where we see the higher-level spectrum diagnosed, where
16 they're just -- families will say there is something odd
17 about them, my child, but they don't know quite what it
18 is. And because Asperger's is now collapsed within the
19 autism spectrum, a higher-level autism is in the same
20 spectrum as low-level, which is categorized.

21 But typically, it's seen within the window of
22 zero to 18. And, basically, what it manifests is in six
23 different areas, they show significant deficits for
24 receptive repetitive behaviors, social interaction, social
25 communication, cognitive style, speech and language

1 deficits.

2 So, basically, in the extreme cases, there could
3 just be echolalia, for example, is a speech deficit where
4 they don't really have spontaneous speech, but just repeat
5 what they're being told over and over and over.

6 And so, basically, it involves -- it did occur
7 within that developmental window, but if it's not better
8 explained by something different, such as a learning
9 disability, intellectual disability, and/or schizophrenia,
10 so there are other rule-ins and rule-outs.

11 That said, being on the autism spectrum can also
12 be with or without intellectual disability, with or
13 without speech and language deficits, so there's
14 qualifiers that are involved in it.

15 Q. You used the term "Autism Spectrum Disorder."
16 What is -- how is that different from autism?

17 A. Because "spectrum" implies that it's a variety
18 or a range of different symptoms, and also different
19 categorically, lower level of functioning versus higher
20 level of functioning. And I think I mentioned earlier, if
21 the Agency for Persons with Disabilities says, "I need you
22 to do an evaluation, Dr. McClain, for qualifying the
23 person for services," once the basic tests are done for
24 adaptive functioning and for autism, then it's looked at
25 what level of need do they have, and their requirements

1 are that that is a high level of assistance that would be
2 required.

3 For example, if the person is able to -- on the
4 spectrum they still can, like, drive a car, they can get a
5 job, they're able to function in the community, they
6 wouldn't necessarily receive services because they could
7 be on the spectrum, but they're higher level. For
8 example, average intelligence.

9 So even though it's a neurodevelopmental
10 disorder, it doesn't mean that it's equivalent to, for
11 example, intellectual disability, because there could be
12 very bright individuals who are also spectrum.

13 Q. When did you first suspect autism with
14 Mr. Mosley?

15 A. So I started to think about it when I saw the
16 persistence of flat affect, low social communication, and
17 the degree of the deficits that I saw. And it was after
18 he came back really from the hospital, and I kept -- I
19 still saw that pattern.

20 I was concerned because I didn't want to miss
21 something, and it's obviously there's differential
22 diagnoses, but I thought, to be on the safe side, to
23 provide the testing, and I requested specifically, Defense
24 Counsel, could this be provided to the parents just to
25 make sure I'm not missing something in the picture that is

1 a key to competency.

2 So I did ask to administer, and they did
3 complete those measures, but, really, it was after he came
4 back because in the initial stages, I thought, He's slow,
5 but it could just be better explained by psychosis and
6 receptive expressive deficits like a learning disability,
7 if you will.

8 So it wasn't until I saw that really that same
9 pattern was still there. It wasn't like the brain woke up
10 and now it was more chatty. It was more like it's still
11 really slow going. Even though the responses are there,
12 and that's positive because he does move towards
13 competency, but I really didn't, like, think about it
14 until when he came back from the hospital this time.

15 Q. So what did you do to investigate your
16 suspicions other than, obviously, you did the GARS?

17 What else did you do?

18 A. So the adaptive functioning I did because I
19 thought it would be helpful to assess the level of need or
20 assist if, in fact, he was on the spectrum. I also noted
21 that in the intellectual testing that was done, there was
22 not, like, one of the hallmark adaptive tests that had
23 been done, and I wanted to make sure, if he was either
24 spectrum or intellectually disabled, that the adaptive
25 component was addressed properly, especially given the

1 gravity of the case or the nature of the case and the
2 likely potential outcomes. So I incorporated that with
3 having the parents complete the adaptive measure.

4 Q. Is there anything else that you did?

5 A. Just reassess the academic records, viewing it
6 from a filter of could this possibly be something other
7 than expressive and receptive language deficits because
8 those can occur with autism, they can occur with
9 intellectual disability, and even, to some extent, like, a
10 (indiscernible) positive of speech can be seen with
11 schizophrenia if the person has, like, intractable
12 hallucinations where they're just not responding because
13 they're otherwise engaged in their own mind.

14 Q. So does Thomas Mosley meet the criteria in the
15 DSM-5-TR for autism?

16 A. He does.

17 Q. Were there any caveats there in your mind?

18 A. Not a caveat, but there is, I think, what I call
19 comorbidity, and that comorbidity with the psychosis that
20 has been evident would be that there are what I call,
21 like, signs of schizophrenia that were evident as far as
22 him hearing voices or continuously seeing what he
23 describes as, you know, blood. And while that could be
24 explained by posttraumatic stress disorder, it's a very
25 persistent type of visual phenomenon that he's seeing or

1 that's reported, at least.

2 So simple -- going back to the question, just to
3 make sure I'm clear, the differential diagnosis, you have
4 to look at, well, are these deficits due to schizophrenia,
5 or are we looking at something where he has autism with
6 limited intellect and expressive and receptive language
7 problems, but also has symptoms of schizophrenia or
8 psychotic disorder. And, of course, he's been treated for
9 that. It's been called different things in the hospital
10 report, but he has been consistently on antipsychotic
11 medication.

12 Q. At this time, do you feel that Thomas Mosley is
13 incompetent because he cannot disclose to counsel
14 pertinent facts --

15 A. Yes.

16 Q. -- due to autism?

17 A. Yes. Well, I would say due to limited intellect
18 and autism, because both of those things are grouped
19 together. I think he has a developmental disability that
20 encompasses spectrum, autism spectrum, with expressive and
21 receptive language deficits and limited intellect.

22 Q. And is Thomas Mosley incompetent to testify
23 relevantly at the present time due to autism?

24 A. In my opinion.

25 Q. Now, you were sitting in the courtroom half an

1 hour ago when we were waiting to begin the proceedings
2 today?

3 A. Yes.

4 Q. And I think you might have observed some things
5 that happened over here at counsel table.

6 Is there anything that happened here today that
7 might change your opinion about whether Thomas Mosley is
8 competent to present appropriate courtroom behavior during
9 a proceeding like this due to autism or intellectual
10 disability?

11 A. So I don't think that it would change my
12 opinion. I think, though, it does go to the issue of
13 having him for sustained periods in the courtroom, you
14 know, given maybe some limitations, his inability to
15 understand social cues would be a concern. So he would
16 have to be monitored, I think, carefully to make sure he
17 understands appropriate compliance with the safeguards in
18 the courtroom.

19 Q. Let's talk about how Thomas Mosley suffers from
20 mental illness, which is the third component. You have
21 autism and intellectual disability and also mental
22 illness, right?

23 A. Correct.

24 Q. So what is mental illness?

25 A. Mental illness, in the DSM-5-TR, is defined as a

1 type of mental disorder that would impact social and
2 occupational functioning, and there's different
3 categorical references to it and degrees of impairment
4 divided into things such as psychotic disorders, mood
5 disorders as examples, but it is basically looking at how
6 to find criteria or a checklist that allows them to define
7 to internationally, through the DSM-5-TR, what aberrations
8 of behavior the person is experiencing, and then to
9 categorize it with a label, such as a mood disorder and/or
10 psychotic disorder that allows the disorder to be treated.

11 Now, I will say that in DSM-5-TR, the diagnosis
12 reviewed is medical diagnoses and underlying medical
13 issues because it used to be, like, from here up is
14 mental, and this is medical, but I'm only bringing it up
15 because there are some physical issues that also can mimic
16 those types of disorders.

17 But the DSM-5-TR is really a categorical, like a
18 manual, if you will, to help to organize and categorize
19 different types of mental disorders.

20 Q. So you've diagnosed Thomas Mosley with mental
21 illness as well, right?

22 A. Correct.

23 Q. Which mental illness?

24 A. So in terms of the mental illness, I diagnosed
25 him with major depression with psychotic features. I also

1 diagnosed him as unspecified schizophrenia spectrum and
2 generalized anxiety disorder.

3 Q. And are those still his diagnoses today?

4 A. Yes.

5 Q. Have you seen the symptoms of those wane over
6 the past two years since you've known him?

7 A. So I have -- I think that, in all fairness, the
8 major depression I've seen consistently. The anxiety I
9 haven't noted as much, even though it was diagnosed
10 previously. I've definitely seen the major depressive
11 disorder, and also symptoms consistent with psychosis,
12 even being on medication.

13 Now, I stated earlier to the Court that he did
14 tell me he hadn't been taking his mental health
15 medications, so that would be a concern I would have that,
16 you know, could amp it up, if he's not on that medication,
17 but I have seen some consistent symptoms of psychosis and
18 depression and those would be "the mental disorders" as
19 compared with neurodevelopmental disorders.

20 Q. What are the negative symptoms of schizophrenia?

21 A. So as mentioned earlier, the negative symptoms
22 are things such as inattention to your social environment,
23 avolition or not being motivated, lack of hygiene, and
24 lack of self-care. Basically, not really responding to
25 the immediate environment.

1 Q. So how would any of the symptoms of Thomas
2 Mosley's mental illnesses that you've diagnosed him with
3 affect his competency?

4 A. So the primary way it could affect competency,
5 in terms of mental illness, is that if untreated, for
6 example, if he's not stabilized for his mental health
7 issues, then his ability to be present in his situation,
8 legal situation, meaning, conferring with attorneys,
9 responding to State Attorney, participating in a trial,
10 could be impaired by him being actively psychotic and
11 possibly even at risk for acting out if he doesn't
12 understand the situation or feels threatened in any way.

13 Q. And what about the negative symptoms of
14 schizophrenia affecting --

15 A. So in terms of --

16 Q. -- his ability to testify?

17 A. I'm sorry for interrupting you.

18 So that would be manifest, for example, in not
19 taking care of himself, if there was deterioration that
20 started to occur as far as him not attending to his
21 hygiene, eating, sleeping, doing the basics of his
22 self-care, as well as not being motivated to participate
23 in the actual proceedings itself, which can mimic
24 depressive symptoms as well.

25 Meaning that fait accompli or saying, It's going

1 to happen anyway, so why does it matter, type of thing,
2 which could be depression, but it also could be I'm not
3 understanding the situation, so let's get it done with.

4 So there could be prematurity in his responses
5 and choices legally.

6 Q. And what about consulting with counsel?

7 A. So the main thing with the negative symptoms, of
8 course, would be if he's not attending to his care, if
9 he's not taking his medication, obviously, there's going
10 to be decompensation that's going to occur.

11 Q. So, Dr. McClain, based on all the records you've
12 reviewed, the six forensic evaluations you've completed,
13 all of your training and experience, do you have a
14 professional opinion as to whether Thomas Mosley is
15 currently incompetent under the six criteria in Florida
16 Statute 916.12, and Florida Rule of Criminal
17 Procedure 3.112?

18 A. Yes, ma'am.

19 Q. And what is that?

20 A. My opinion is that he is not competent to
21 proceed at this time. While he has demonstrated progress,
22 I think, and maintenance of his progress in several areas,
23 including his awareness of his charges, potential
24 penalties, the adversarial nature of the legal process,
25 and behavior, I think he still remains in the unacceptable

1 range for his capacity to disclose to attorney pertinent
2 facts, as well as his capacity to testify relevant.

3 Q. Dr. McClain, how do you know if it's caused by
4 intellectual disability, autism, or mental illness?

5 A. So it's multifactorial. I don't think that you
6 could pinpoint one thing. I think it's a combination of
7 factors. He does have a documented history of mental
8 illness preceding the crime and has been Baker Acted, so
9 they identified concerns about, you know, obviously,
10 self-harm, and he was hospitalized.

11 So I think there's clearly evidence of onset of
12 mental illness around that window of time, and one would
13 expect to see ongoing or mood disorder. I think that it
14 is a combination of factors, and, obviously, they all feed
15 into his ability to be competent, or if he does become
16 competent, then maintaining that competency through
17 medication management, through ongoing competency
18 training, which can be very important, especially if it is
19 due to intellectual disability or autism.

20 The consistency in that training, even when the
21 person is back from the hospital, becomes very important
22 in maintaining the competency. And that's one of the
23 things with the Agency for Persons with Disabilities that
24 is in place when they do return from the hospital is that
25 there is ongoing maintenance to keep daily logs or weekly

1 logs, if you will, of one, two, three, four, five, where
2 are they falling, which becomes important, because if the
3 person is feigning, yet they're doing like a five, if
4 they're getting a five, and they're telling the competency
5 evaluator they don't know anything, then it's discrepant.

6 So it helps you to make a really, you know -- a
7 comparison, a collateral comparison, but I certainly think
8 all of the factors of autism, intellectual disability with
9 speech and language deficits, as well as the psychosis and
10 depression are contributing to the incompetency.

11 Because of the severity of the speech and
12 language deficits, I think that that area is one area
13 that's probably the biggest contributor is just being able
14 to articulate, you know, details about what occurred.

15 And I did make it a point to ask specifically
16 about that, what he recollected, what he was able to
17 provide to me, in terms of what happened, independent
18 recollection, and he was not able to produce a response
19 suggesting that he had an independent recall of the
20 details leading up to what occurred.

21 For what reason, whether it's speech or language
22 or if he was in a psychotic episode, I'm not clear on
23 that, or it could be both.

24 Q. Let's talk about effort testing.

25 A. Sure.

1 Q. As a neuropsychologist, how do you determine if
2 someone is giving full effort?

3 A. So there are a variety of ways to do that and to
4 properly assess effort. There are tests that can be
5 given. There are also collateral information that could
6 be compared. For example, if person is interacting
7 differently on the unit or the pod where they're being
8 housed and they're reading books and they're interacting
9 normally, chuckling, laughing, you know, then you would
10 look if they're presenting as very depressed and can't
11 respond, you look at those type of behavioral comparisons.

12 But also tests that are available, for example,
13 the tested memory and malingering; the M-FAST, or the
14 Miller's Forensic Assessment of Symptoms Test, the VIP, or
15 the Validity Indicator Profile; those are all examples.
16 The simplest example, of course, is Rey 15-Item, which
17 it's, like, a 10-second test. That basically looks at, if
18 they bum that one, it's pretty suggestive of there's
19 something up with them, you know, not exhibiting effort.

20 Q. So is it important in choosing tests of effort
21 to choose one that's appropriately normed for someone with
22 cognitive impairment?

23 A. So it is. So on some of the tests that are
24 produced, they will have a caveat in the directions, in
25 the manual, that says, Caution should be exercised if

1 someone is intellectually disabled or has some type of
2 impairment that would be, like, a comprehension or a
3 reading deficit because depending on how it's administered
4 and the way, you know, that it's done, they could be not
5 comprehending the questions properly.

6 Q. So it would be important to know the reading
7 level of the person you're testing before you test them?

8 A. Yes.

9 Q. Why is that?

10 A. Just because their comprehension level is going
11 to affect whether or not they can understand the question
12 being asked, and in double negatives where they ask
13 something. Like, for example, on the M-FAST they'll ask:
14 Does this only occur when you're doing such and such?

15 So there's a two-part question. It's a
16 compound -- like a compound question, really, because it's
17 one and then the second part.

18 So it can be difficult because then you have to
19 entertain the first part of it to respond to the second
20 part. So there could be some difficulties with it in
21 terms of understanding, you know, the test question.

22 Q. Do you use the M-FAST as a symptom validity
23 predictor in people with cognitive impairment?

24 A. I don't use it with people who have cognitive
25 impairment because of the language. I read it out loud to

1 them. That's how it's supposed to be done. So I don't
2 typically use that one with people with cognitive
3 impairment.

4 I tend to use the embedded measures, if I'm
5 doing the WAIS test or the Rey 15-Item, something simple,
6 and I also try to really look at collateral information,
7 which can be super helpful, especially if they've been --
8 you know, had training before, if you will.

9 And I have had -- just as an example, I have had
10 defendants who have a 50 IQ who I feel are competent. So
11 it's not the IQ, per se, it's whether or not they're
12 exerting good effort, whether or not they've been found
13 incompetent previously despite the deficits. So there's
14 many things that go into it. But it's more challenging
15 with people with intellectual disability to decide what to
16 use and how to approach it because, as an examiner, you
17 don't want to miss it if they are truly acting like they
18 don't know something when they do know it.

19 You know, an exposure to the legal system, how
20 many times they've been through the legal system, that
21 type of thing would be important, too.

22 Q. What about the Validity Indicator Profile or
23 VIP, is that one that's normed for cognitive impairment,
24 if you know?

25 A. So the Validity Indicator Profile is not normed

1 for cognitive impairment, and it does tend to rely on --
2 at least the verbal part of it, we're basically looking at
3 a synonym-type comparison with vocabulary words and the
4 vocabulary words are fairly complex.

5 Q. Can symptoms of depression ever be mistaken for
6 poor effort?

7 A. So symptoms of depression, depression,
8 basically, there's like a relationship between depression,
9 dementia and delirium, but depression, typically one of
10 the symptoms would be poor concentration, lack of
11 motivation, those are inherent in the diagnosis. So
12 definitely depression, intractable depression, or even
13 resistant depression can definitely mimic lack of
14 motivation.

15 And I just want to make note that with
16 Mr. Mosley, he does have a history of hypothyroidism.
17 That is being treated by levothyroxine, but other factors,
18 such as that untreated low thyroid, also could mimic lack
19 of motivation, lack of focus.

20 So, to my knowledge, he is taking that
21 medication, so that wouldn't be something that would
22 contribute at this time.

23 Q. What about negative symptoms of schizophrenia,
24 are those ever confused with poor effort?

25 A. They are. As an example, a parent that is

1 trying to get their child that has schizophrenia to
2 shower, take care of themselves. If their feet are dirty
3 from walking outside barefoot and they won't bathe and
4 resist, that -- that could be, you know, obviously,
5 misconstrued as depression where it's really a symptom of
6 schizophrenia.

7 Q. So if you did nothing but give a mere effort
8 test and didn't review records or interview collateral
9 witnesses or look seriously at what medications are being
10 prescribed, is it possible that you might make a mistake
11 in saying that there's poor effort and, really, it could
12 be any one of those other things?

13 A. So, in all fairness, in terms of diagnostically
14 assessing someone, all of those things need to be
15 considered. And I think that -- I've certainly had cases
16 where I had an initial impression, for example, in
17 Mr. Mosley's case where it's psychosis, depression, and
18 then further analysis looking at it like there's something
19 else going on here after, you know, competency training
20 and that type of thing.

21 But it's really important to remember that there
22 are multi factors, like in troubleshooting or hypothesis
23 testing, if you will, the scientific method, we're looking
24 at, well, it could be this, but we have to rule out this.
25 So it's like diagnostics, if you will.

1 Q. And, really, the scientifically valid approach
2 is to be as broad as possible, get as much information, as
3 many interviews, as much as you can collect, right?

4 A. Well, I think that goes back to what I was
5 saying in this particular case, that the good part and the
6 positive part is there's momentum in competency. There is
7 four areas where he appears to be doing fairly
8 consistently well, if you will, in that he had been taking
9 medication up until recently, which I think was helping
10 him. He seemed more interactive.

11 So I think that basically, over all of the
12 interviews that I've had, there has been some improvement
13 over time, even with eye contact, with, you know, making
14 statements that are a little verbal than before. So I
15 think that, you know, it's important, I've seen some
16 progress with resolution of symptoms that would be
17 important to competency, maintaining competency.

18 Q. Let's talk about the psychology progress notes
19 contained in Exhibit 7 from South Florida Evaluation and
20 Treatment Center.

21 Do you have those in one packet?

22 A. I have them, yes.

23 THE COURT: Do I have those?

24 MS. RUSSELL: I believe you do, Your Honor. And
25 it says Competency Assessment Tool on the top, CAT.

1 THE COURT: Yes. Thank you.

2 BY MS. RUSSELL:

3 Q. So you reviewed the progress notes of
4 psychologist Lana Tenaglia --

5 A. Yes, ma'am.

6 Q. -- contained in the records produced from South
7 Florida Evaluation and Treatment Center?

8 A. Correct.

9 Q. What is a CAT or Competency Assessment Tool?

10 A. Essentially, that's exactly what it is. It just
11 breaks it down into particular questions that are asked --
12 that the evaluator would ask the defendant, or ask in a
13 way that would answer that question, then put the answer
14 down.

15 Q. So --

16 A. In other words, it goes through the six
17 different prongs, and we'll specifically look at -- so
18 you're breaking it down to their knowledge of, for
19 example, what their attorney does, knowledge of potential
20 penalties, what could happen if they go to trial, their
21 understanding of concepts such as probation, a plea
22 bargain.

23 And, also, importantly whether they understand
24 what not guilty by reason of insanity would be.

25 Q. So Dr. Tenaglia gave Thomas Mosley three

1 competency assessments, one on December 18th of '24 after
2 he arrived?

3 A. Correct.

4 Q. One on January 30th after he had been there --

5 A. Correct.

6 Q. -- for a bit then. One on February 25th?

7 A. Correct.

8 Q. All right. I want to talk to you about the
9 initial CAT given on December 18th.

10 A. Okay.

11 Q. I know the writing is really small and hard to
12 read.

13 A. I want to make sure I have that one. Is that
14 the one that is very, very small?

15 Q. It's the one that's actually sideways, and we
16 didn't have anything to do with it.

17 A. Oh, okay. Yes, now I see it. Okay. 12/18.

18 Q. It was the way the documents were produced to us
19 from South Florida.

20 A. Okay. Well, I've got all three, then.

21 Q. Okay. What do you notice about the results of
22 Competency Assessment Tool that was given on the 18th of
23 December?

24 A. So in terms of the results, it basically is
25 breaking it down into the different categories, and

1 basically, it's saying unacceptable for awareness of
2 charges. It does say acceptable for identifying charges,
3 but not able to describe them or to differentiate between
4 felonies or misdemeanors.

5 So even though there's an awareness of the
6 charge itself, there isn't the ability to break it down.

7 And then secondarily, potential penalties is
8 described as unacceptable.

9 Then questionable on the outcome of a verdict,
10 guilty or not guilty.

11 Questionable on the concept of probation.

12 Unacceptable on can the defendant explain what
13 NGI is or not guilty by reason of insanity. And also, a
14 lack of awareness of plea bargain.

15 Then it goes on to describing the functions for
16 the judge, acceptable; the jury, unacceptable; public
17 defender, acceptable; state attorney, unacceptable;
18 witnesses, unacceptable.

19 And then for, Can the defendant state who
20 determines a verdict if there is no jury? That was
21 unacceptable.

22 Can the defendant state who sentences if guilty?

23 Yes, acceptable.

24 Does the defendant understand the legal system
25 has two sides? Unacceptable.

1 Does the defendant understand the judge and jury
2 are impartial or neutral? And it said unacceptable.

3 Capacity to disclose to attorney, it has no.

4 For defendant trusting his attorney and knowing
5 the possible benefits of disclosing confidential
6 information to his attorney. If not, assess rationality
7 or lack of rationality of reason on that.

8 Q. Okay.

9 A. And then that was unacceptable.

10 Can the defendant describe how he or she will
11 communicate with his attorney during the trial? That was
12 unacceptable.

13 On capacity to manifest appropriate behavior.
14 Overall, it was acceptable, with the exception that it
15 says: Can the defendant state what will happen if he or
16 she misbehaves in court?

17 Then capacity to testify relevantly. During the
18 defendant's discussion of the legal situation, is
19 communication relevant and goal-directed? The answer was
20 yes.

21 And then, Does the defendant's current symptoms
22 of mental illness interfere with his or her own capacity
23 to testify relevantly? And it said, No.

24 Q. How did things change with the competency
25 assessment on January 30th?

1 A. Let me just read one thing, then I'll address
2 that.

3 Okay. So going to the other ones. So in terms
4 of the first part, appreciation of the charges, it was
5 consistent with the prior one in December.

6 Q. Appreciation of possible penalties?

7 A. Appreciation of the charges, it was consistent.

8 So appreciation of possible penalties. So in
9 one area, it went down, on defendant's state the outcome
10 of a verdict, guilty, not guilty. That was unacceptable.

11 Probation, remained questionable.

12 Consistency was noted for being unacceptable for
13 explaining not guilty by reason of insanity, and what a
14 plea bargain is.

15 On the third one, he had been acceptable on the
16 judge, but now it was questionable whether he understood
17 or not.

18 The jury remained unacceptable, in terms of
19 understanding. It was unacceptable now, his understanding
20 of the public defender, but acceptable on his
21 understanding of the state attorney. So it kind of
22 flip-flopped on that one.

23 Then on witnesses, it remained unacceptable.

24 On the role of the defendant, it became
25 acceptable.

1 Can the defendant determine who determines a
2 verdict if there is no jury? That was still unacceptable.
3 He was previously able to say who sentences him if found
4 guilty, but now it said he's unacceptable on that.

5 In terms of understanding the legal system has
6 two sides, it remained unacceptable. As did the impartial
7 or neutrality of the judge and jury, were both
8 unacceptable.

9 And then on Part 4, he went from unacceptable in
10 terms of trusting the attorney and knowing the possible
11 benefits of disclosing confidential information from
12 acceptable to questionable.

13 And then it remained consistent on, Can the
14 defendant describe how he or she will communicate with his
15 attorney during trial?

16 On behavioral, it went from being acceptable on
17 the first three to questionable on, Can the defendant
18 explain what he or she should do if something is said in
19 court about the case that is not true?

20 And then the other remained consistently
21 unacceptable for, Can the defendant describe what could
22 happen if he or she misbehaves in court?

23 And then the last prong for capacity to testify
24 relevantly was consistent with during the defendant's
25 discussion of the legal situation, his communication is

1 relevant and goal-directed, that was yes.

2 Whereas, Does the defendant's current symptoms
3 of mental illness interfere with his or her capacity? It
4 said, No.

5 Q. Do you see the comment on the following page?
6 Is the defendant competent to proceed? The answer is, No?

7 A. Correct.

8 Q. And then there's a comment added, it looks like,
9 on January 31st of 2025?

10 A. Let me see if I have that in front of me.

11 Q. It's just on the second to last page of the
12 Competency Assessment Tool.

13 A. Let's see here. 130.

14 Oh, okay. So is it --

15 Q. Progress notes --

16 A. Yes.

17 Q. -- 1/31/25.

18 A. Yes, ma'am. Are you referring to the paragraph
19 that was noted there?

20 Q. Yes.

21 A. Yes, ma'am.

22 Q. What do you notice?

23 A. Mr. Mosley continues to present with a lack of
24 factual knowledge regarding the legal system. He knew his
25 legal charges. When asked to describe the allegations

1 associated with his legal charges, he stated that he did
2 not want to because I feel something bad will happen if I
3 talk about it.

4 I asked him what he believes will happen. He
5 stated, I don't know. I just don't want to talk about it.

6 Overall, he appears -- or Mr. Mosley appears to
7 be putting forth poor effort towards competency
8 assessments.

9 Q. What's your reaction?

10 A. So I don't really have a reaction. I'm just
11 reading it and wondering, since it's consistent with the
12 other one, that he's not competent, what the poor effort
13 is based on?

14 Because -- the only reason I'm going to say that
15 is because, for example, in the school records, he's
16 putting forth effort, but making minimal progress. So the
17 question I would have is, is it poor effort, or he's just
18 not comprehending things? Because it's pretty consistent
19 results from the two administrations of the CAT.

20 Q. Would it help to have Dr. Tenaglia's notes to be
21 able to figure that out?

22 A. Either notes or just to comment on what led to
23 that opinion.

24 Q. Looking at page 4 of 5 of that particular CAT,
25 Dr. Tenaglia also noted that Thomas Mosley was having

1 hallucinations, right? Thought content, hallucinations?

2 A. Correct.

3 Q. Moving on to 26 days later, Competency
4 Assessment Tool given February 25th of '25, right?

5 A. Correct.

6 Q. And was that after Thomas Mosley was given the
7 WAIS?

8 A. Yes. He was given the WAIS, I believe, 2/18 of
9 '25.

10 Q. 18 days after he was still incompetent by
11 Dr. Tenaglia's own report, correct? The Competency
12 Assessment Tool?

13 A. Correct. I'm just verifying the exact date. So
14 it has -- let's see. This testing occurred 2/18 of 2025,
15 so it was.

16 Q. He was given one final Competency Assessment
17 Tool February 25th of '25?

18 A. Correct.

19 Q. What do you notice about his performance on the
20 six criteria?

21 A. So across the board, he's gone from unacceptable
22 on those that he was found unacceptable on or questionable
23 to acceptable across the board. So everything changed
24 from what it was to being completely acceptable.

25 Q. And was there a comment that accompanied that?

1 A. So, yes, on page 3 of 5. It said that,
2 Mr. Mosley is competent to proceed on the basis of
3 malingering. Observations and standardized testing
4 revealed Mr. Mosley likely feigning psychiatric symptoms
5 and putting forth poor effort towards assessments.

6 Overall, it is my opinion that Mr. Mosley likely
7 has the factual and rational understanding of his legal
8 charges and the legal system, and has the capacity to
9 rationally disclose pertinent facts to his attorney,
10 manifest appropriate courtroom behavior, and testify
11 relevantly.

12 Q. What is your reaction to that note?

13 A. So, again, I don't have a reaction, but I was
14 confused, only because -- so as of 1/30/2025, he was
15 unacceptable and not competent, and less than a month
16 after, he's across the board acceptable.

17 So -- and the conclusions were based upon him
18 likely malingering, but I didn't see any evidence or
19 descriptive details of how he had demonstrated his
20 competency. Meaning, how was that conclusion reached?
21 Unless it's the -- unless it's the assumption that it's
22 explained because these tests say he was malingering that
23 were given to him.

24 So it was confusing because I didn't see
25 anything in the notes to suggest, you know, there was a

1 marked increase in his knowledge of this or that. So --
2 because of him not doing well on the malingering test
3 could be for a variety of reasons. So I just had concerns
4 because it was just less than three weeks ago -- around
5 three weeks, where he was having hallucinations, or that
6 was noted.

7 So I just don't --

8 THE COURT: Did she say she observed him having
9 hallucinations or that he reported
10 self-hallucinations?

11 THE WITNESS: So, Your Honor, it looks --

12 THE COURT: There's a difference.

13 THE WITNESS: Right. Right. I agree
14 completely.

15 He continues to report.

16 THE COURT: Okay. So she didn't actually
17 observe any?

18 THE WITNESS: No, not to my knowledge. And I
19 don't have any descriptors suggesting she did.

20 BY MS. RUSSELL:

21 Q. So was that competency assessment on
22 February 25th of '25?

23 A. That's correct.

24 Q. Consistent with your evaluation of Mr. Mosley
25 when you saw him at the Pinellas County Jail?

1 A. So parts of it were. The parts that were were
2 his appreciation of the charges, his appreciation of
3 potential penalties, his understanding of the legal
4 process, and his capacity to manifest appropriate
5 courtroom behavior.

6 The parts that weren't were capacity to disclose
7 to his attorney pertinent facts, and capacity to testify
8 relevantly.

9 Q. I'd like to ask you some questions now about the
10 Psychology Weekly progress notes that are also contained
11 in that packet.

12 In general, do you see the format of a note in
13 that there is a list of medication and then a note about
14 his progress?

15 A. I don't know if I have that in front of me.

16 Q. That's at the very end of the -- you don't have
17 it?

18 THE COURT: Is it on one of the sideways pages?

19 MS. RUSSELL: Yes, it's on that there.

20 THE COURT: Because I'm not sure that I have it
21 either.

22 Can I see what you're looking at?

23 MS. RUSSELL: Yeah. Sure. It's at the end of
24 the Competency Assessment Tool.

25 THE COURT: The last page that I have is dated

1 12/26 of '24. I don't think that's what you want me
2 looking at.

3 MS. RUSSELL: So the psychology progress
4 notes -- you're right, these copies are so bad -- it
5 says page 1 of 2. I can show Your Honor, it's the --

6 THE COURT: What's the date on that one?

7 MS. RUSSELL: It starts with, it looks like,
8 1/6 of 2025. And they're sideways --

9 THE COURT: Okay. Yep, I've got Psychology
10 Weekly progress notes.

11 MS. RUSSELL: Yes.

12 THE COURT: I've got 12/26 of '24, 1/2/25,
13 1/10/25, 1/15/25 -- is that the one I should be
14 looking at?

15 MS. RUSSELL: Yes. 12/26, 1/2, 1/10, 1/15,
16 1/23, 1/30, and 2/6.

17 THE COURT: Yep, I've got them. Yep, they're at
18 the end.

19 Do you not --

20 THE WITNESS: I don't have them.

21 THE COURT: Okay.

22 MS. RUSSELL: I'll hand you what's been marked
23 as Exhibit 7.

24 THE COURT: And you want to draw my attention to
25 which date? Or --

1 MS. RUSSELL: Let me just give it to --

2 THE COURT: Sure.

3 MS. RUSSELL: Let me just give it to Dr. McClain
4 and then I can...

5 BY MS. RUSSELL:

6 Q. So as we understand it, these progress notes
7 were made on a weekly basis for the first six weeks that
8 Mr. Mosley or Thomas Mosley was there, and then after
9 that, discontinued.

10 Looking at the progress notes, Dr. McClain, on
11 December 26 of '24, which may be further down in the
12 packet. These are just basically produced the way they
13 were produced to us by South Florida, which was
14 disheveled.

15 A. Yes, ma'am.

16 Q. But do you see the psychology progress note from
17 December 26 of '24?

18 A. I do.

19 Q. Okay. Dr. Tenaglia reported that when Thomas
20 was asked the difference between a felony and a
21 misdemeanor, he said -- what did he respond?

22 A. There's a quote that says, I don't want to
23 answer that question. And I asked, Why? And he stated, I
24 don't understand it.

25 Q. All right. Moving on to the progress notes

1 January 2nd of 2025. He reported to Dr. Tenaglia, who was
2 asking how was he understanding the material taught in
3 competency classes.

4 A. So basically, what it's stating is that he said,
5 It's kind of hard. I don't understand some of the things.

6 Q. What about the progress note January 10th of
7 2025?

8 A. In terms of what his response was to the
9 material?

10 Q. Yes.

11 A. He stated he doesn't really understand what's
12 being taught in class. He stated that while in class, I
13 got a paper so I could try to understand from that.

14 Q. He was trying to understand?

15 A. Correct.

16 Q. What about the progress note on January 15th of
17 '25.

18 A. So when asked -- Mr. Mosley has been attending
19 classes. When asked if he is understanding the material
20 taught, he stated "some of it."

21 Q. What about the progress note from January 23rd
22 of '25?

23 A. I asked him to tell me one thing he has learned
24 in class. He told me he learned about not guilty by
25 reason of insanity. I asked him to tell me what it means,

1 and he stated he "forgot."

2 Q. What about progress note from January 30 of '25?

3 A. Mr. Mosley continues to present with a lack of
4 legal knowledge. He does not appear to be putting forth
5 adequate effort.

6 Q. And then February 26th of '25?

7 A. I asked Mr. Mosley if he is understanding what
8 is being taught in class and he stated, "not really." I
9 asked him what is making it difficult for him to
10 understand and he stated, "It's too much and it's hard to
11 understand."

12 Q. Dr. McClain, in reading those records and
13 looking them over and knowing what you know about Thomas
14 Mosley's cognitive ability and his autism, what can you
15 tell me about how he understood competency restoration
16 classes?

17 A. So it's pretty straightforward. I think he's
18 saying he's not understanding it and he's not retaining
19 it, which I think would be consistent with the academic
20 records. There is things he's retaining, that he's
21 retained.

22 So I think it's the more difficult concepts that
23 would go along with trial, not guilty by reason of
24 insanity, which is not an easy concept. You know, I've
25 had cases involving autism where it's very difficult for

1 them to really understand at the time they were mentally
2 impaired, but now they're stabilized on medication, but it
3 was at that time.

4 So there's a lot of complexities in those
5 concepts, so I think there is definitely effort because
6 he's retaining information certainly in the most recent
7 evaluation that I have of him. So I don't think it's like
8 a flat-out refusal to, you know, participate. I think
9 he's actually legitimately saying I'm having difficulty
10 understanding that and retaining it because it's a more
11 complex concept.

12 But as far as effort, I mean, if he is,
13 hypothetically, not participating at all and refusing to
14 put forth effort, you would expect to see just more of
15 flat liner of "I don't know," "I don't know," "I don't
16 know," you know?

17 So I don't think it's consistent with that. I
18 think it goes more to the deficits that are contributing
19 to why he's not able to bring in new information and
20 retain it over time to produce, you know, the synthesis of
21 the output from, like, a question on the stand, which is
22 really done more, like breaking it down to remediating for
23 intellectual or language deficits.

24 Q. What parts of the brain and what skills are
25 required to answer a question on the witness stand?

1 A. So that's a great question. So there is
2 organs -- or there's -- let me put it this way. There are
3 parts of the brain, receptive and expressive parts of the
4 brain. Broca's area is a simple area for producing
5 speech. Wernicke's is receptive speech. Okay. So
6 understanding things.

7 So those two work -- those are the primary areas
8 for language production. So if there is impairment or
9 breakdown there, there's, like, a disconnect that occurs
10 to where you can't produce the output because you're not
11 synthesizing the input, you know, which is kind of
12 inherent in the speech and language reports.

13 And then there's another area of the brain that
14 is called, you know, the parietal-temporal-occipital,
15 which is used for reading. So if there's a breakdown in
16 that area and you have reading comprehension problems, it
17 also affects the comprehension and consolidation of those
18 memories to be able to produce and recall, for example,
19 reading comprehension, what did you learn from the
20 paragraph. Right?

21 So there's areas of the brain, but basically,
22 for an individual to pull from memory consolidation, the
23 frontal lobe is accessed. That's the retrievable center
24 to where you give a cue and go, What is a plea bargain?
25 And it spins and it goes back and goes, Well, that is, you

1 know. Or, What does your lawyer do for you, your public
2 defender? Spins, and can give that response.

3 So more concrete materials repeated over time
4 can be learned, but the more abstract things, you know,
5 like, what happens, for example, with a bench trial as
6 compared with a regular trial with jurors, that type of
7 thing would be more difficult for the person to synthesize
8 and understand.

9 MS. RUSSELL: May I approach?

10 THE COURT: Yes.

11 MS. RUSSELL: Thank you, Dr. McClain. We are
12 done with Exhibit 7.

13 BY MS. RUSSELL:

14 Q. What is neuropsychological testing, Dr. McClain?

15 A. So neuropsychological testing is testing that is
16 utilized to determine brain behavior relationships and how
17 the brain functions. So it's broken down into functional
18 tests that would assess different capacities.

19 For example, immediate memory, short-term
20 memory, long-term memory, attention, concentration,
21 language skills, overall intellect in comparison to memory
22 functioning, but it's basically looked at as a way of
23 determining an individual's strengths and weaknesses
24 cognitively.

25 Q. So as a forensic neuropsychologist, your

1 strength and one of your great sort of passions --
2 right? -- is to figure out what is the appropriate test
3 for any given situation; is that fair?

4 A. So it's fair to say that my training was such
5 that I was trained to think functionally. Like, if the
6 question is -- even competency, as an example.
7 Competency. If the person has a head injury, can they
8 benefit from competency restoration training?

9 Well, if the brain is not intact and there is
10 significant memory impairment, no matter how much you try
11 to stuff stuff in there, they're not going to retain it.

12 So I've been trained to be a functional
13 neuropsychologist, so I look at what would be relevant in
14 any particular case. So it's different, for example, in a
15 forensic context, executive functioning, because important
16 memory functioning, whereas if I were to assess in a civil
17 case for overall damages, you know, it would be more
18 broad-based, like the total person what have they lost,
19 et cetera, in terms of their capacity.

20 Q. But choosing an appropriate test for the
21 appropriate circumstance, that is part of the art and
22 science of what you do; is that fair?

23 A. I think that's fair to say, whether it's
24 forensic or otherwise.

25 Q. Let's talk about the WAIS.

1 A. Sure.

2 Q. What do we use the WAIS for?

3 A. So I typically utilize the Wechsler Adult
4 Intelligence Scale for assessing a person's overall
5 intellect and their strengths and weaknesses in the
6 breakdown of verbal and nonverbal skills, as well as
7 processing speed and working memory. So there's the
8 total, like, FSIQ or full-scale IQ, but then there's --
9 can be strengths and weaknesses on the different
10 components of it.

11 Q. Is the WAIS the gold standard for determining
12 IQ?

13 A. Typically, it's the WAIS or the Stanford-Binet.
14 There are some other shorter versions of the test, but to
15 get a real view of the person's overall abilities, usually
16 a more lengthy test like Stanford-Binet-5 or the WAIS-IV
17 or V. Now the V.

18 Q. Do most experts in the field rely on a WAIS
19 score in order to determine IQ?

20 A. So typically to determine IQ, one would rely on
21 a formal test to do that, whether it's administered during
22 their academic, you know, upbringing, like the IEP,
23 psychological testing. But, yeah, typically that would be
24 used to determine their overall intellectual capacity.

25 Q. Dr. McClain, you first saw Thomas Mosley when he

1 returned from South Florida Evaluation and Treatment
2 Center in March of 2025?

3 A. Correct.

4 Q. Is there a reason why you didn't give him a WAIS
5 then?

6 A. So just to really clarify my history with
7 Mr. Mosley and my recommendations, I from, I think, the
8 beginning of it thought it was important to determine
9 cognitively what is going on and his intellectual
10 functioning, but I certainly didn't want to test him at a
11 time initially when I thought he was unstable or not
12 stable as to his mental condition.

13 Following the return from the hospital, he had
14 essentially been given the WAIS while he was at the
15 hospital, so it would be inappropriate for me to
16 administer it again, especially the WAIS-IV. And so I did
17 not administer testing to him.

18 I also thought there were still some residual
19 symptoms that he was exhibiting in terms of the mental
20 health symptoms. And I try to be careful about when I do
21 that testing to get as accurate a measure as I can because
22 the goal is if they're higher functioning, catch it. You
23 know, get it that they're higher functioning as compared
24 with if it's attributable to them not being stabilized for
25 some reason on medication.

1 But it had just been administered is the simple
2 answer to the question.

3 Q. So it would be important for someone to have
4 their mental health condition stabilized before they got
5 an IQ test like the WAIS?

6 A. That's correct.

7 Q. Okay. Do people often give effort testing in
8 conjunction with the WAIS?

9 A. Yes.

10 Q. How?

11 A. Well, the WAIS is a unique instrument in that it
12 does have embedded measures that can be taken into
13 consideration, along with an additional malingering test.
14 But typically, you would want to do any effort testing
15 prior to administration of the WAIS.

16 As an example, with intellectually disabled
17 individuals, I might do the Rey 15-Item first to
18 determine, well, if they're going to be trying to pull a
19 fast one, I'd you like to know before I exert all this
20 effort and getting invalid results, because that can offer
21 nothing to, like, the question of intellectual disability
22 if they're invalid and they underestimate the person's
23 ability. And I've had that happen.

24 Q. So the effort testing should come first, and
25 then the WAIS?

1 A. That's the standard procedure, yes.

2 Q. Because you can only give the WAIS once every
3 year?

4 A. Basically, the year. It's within a year.

5 Q. Or else there could be issues with their
6 practice effect?

7 A. Correct.

8 Q. Okay. Is there any reason that you can think of
9 that you would give malingering tests after the WAIS?

10 A. Not that I can think of. The -- it would not
11 make sense to me to do that. I'm not privy to maybe
12 Dr. Tenaglia's reasoning on that so I don't want to
13 comment on it, but typically, that wouldn't be standard
14 protocol.

15 Q. And is it fair that giving an effort test, like
16 not only a couple days later, might not actually be a true
17 reflection of the effort given on the day the WAIS is
18 given, correct?

19 So if you give an effort test days or maybe a
20 week later, is it fair to say that those same
21 lack-of-effort conditions existed on the same day when the
22 WAIS was given?

23 A. The answer is no.

24 Q. Why not?

25 A. Because it is within that simple time, like a

1 snapshot of time, that it could -- their motivation, their
2 effort could vary according to a number of factors,
3 medication, mood, attitude. So it could vary for various
4 reasons, so it's best to get it within the window within
5 which you're working with the individual.

6 Q. Dr. McClain, are you familiar with the
7 WHODAS 2.0?

8 A. Yes.

9 Q. What is it?

10 A. It's an adaptive measure that's used by the
11 World Health Organization for rating disability in various
12 areas.

13 Q. So it's for general disability?

14 A. Yes. It's applicable to general disability for
15 a variety of reasons.

16 Q. And it's retrospective 30 days, right?

17 A. Correct.

18 Q. And is it open source?

19 A. It is.

20 Q. So anybody can pull that manual off the
21 internet?

22 A. It can be pulled off the internet. And you can
23 even start an administration of it on the internet.

24 Q. And it's free too, right? It costs nothing.

25 A. It doesn't cost anything to get it off the

1 internet.

2 Q. Other measures, the ABAS or the GARS, for
3 example, that you use, are those expensive?

4 A. So the initial investment in the test and the
5 manual is fairly costly. The adaptive measure has a whole
6 range of age range, 0 to 69. And it has different for the
7 teacher, for children, for parent versus individual. So
8 it costs more because that's, you know, the initial
9 investment in having the specific protocols because it's
10 not acceptable to copy a form and, you know, you have to
11 pay for it, and one should. But the manual helps you to
12 look at the specific scoring that goes on, so you have the
13 normative data on there.

14 Q. And that you have to pay for?

15 A. Right. There's an initial cost for the testing
16 kit itself. And then once you run out of the forms
17 itself, then you want to buy more forms, basically.

18 Q. How much does it cost?

19 A. I think the overall kit that I bought was right
20 around \$400 or so for adaptive measure.

21 Q. So is the WHODAS a scientifically valid test for
22 adaptive functioning in intellectual disability?

23 A. So I'm not aware of it being a scientifically
24 valid instrument. It is an adaptive measure. I will say
25 that adaptive measures still remain subjective. There's

1 just normative data, for example, on the violent or the,
2 you know, ABAS, if you will, the Adaptive Behavioral
3 Assessment. But as far as scientifically valid, it's more
4 face valid from the standpoint of a rating system to look
5 at different areas of impairment.

6 Q. But it's not specifically made for adaptive
7 functioning in intellectual disability assessments?

8 A. That's correct.

9 Q. And, in fact, the AAIDD 12 says so much,
10 correct?

11 A. Correct.

12 Q. All right. What are some other scientifically
13 valid tests that are available to assess adaptive
14 functioning in intellectual disability specifically?

15 A. So the Vineland is available, and that would be
16 another one that would be good to use. Those are
17 typically the ones that I use are the Vineland or the
18 ABAS.

19 THE COURT REPORTER: Can you spell ABAS?

20 THE WITNESS: Yes, ma'am. It is A-B-A-S.

21 THE COURT REPORTER: Thank you.

22 THE COURT: When we finish up today, we're going
23 to give Madam Court Reporter the acronyms.

24 MS. RUSSELL: I would be happy to.

25 BY MS. RUSSELL:

1 Q. And that's pretty consistent with your approach,
2 to give the test as directed to collateral informants like
3 parents or teachers?

4 A. That would be correct.

5 Q. Okay. Did you read the report of Dr. Lana
6 Tenaglia?

7 A. Yes.

8 Q. Her final report that was filed with the Court
9 on February 28th?

10 A. Yes, ma'am.

11 Q. Okay. Was there anything in it you took issue
12 with?

13 A. So in terms of the conclusions of -- I had some
14 concerns about the conclusions as to overall competency
15 based upon my review of the information, the test -- the
16 raw test data, the malingering measures, and also the fact
17 that actually had been prescribed medication but that
18 appears to be something that is not really validated by
19 diagnostics that she's doing. So I just had concerns
20 about her conclusions in terms of that. But...

21 And again, it's more based upon the context of
22 the academic history, review of academic history and
23 collateral information would cast concerns about how
24 valid, for example, the malingering measures on are in
25 terms of whether he could comprehend the items. But also

1 assuming competency absent any real evidence other than
2 believing because of malingering he's therefore likely
3 competent, because a person could be exaggerating also to
4 some extent but still be legitimately not competent.

5 So just overall, just concerns about that. And
6 then, for whatever reason that I'm not aware of,
7 administering, for example, the malingering measures
8 subsequent to the WAIS-IV, which I'm not certain why that
9 was administered.

10 Q. Is there a danger to making a diagnosis with
11 testing and present observations without any collateral
12 informants, any school, driving, or employment records, or
13 any collateral interviews with any other people?

14 A. Yes.

15 Q. What is that?

16 A. Misdiagnosis.

17 Q. Did you read the report of Dr. Michael Railey?

18 A. Yes.

19 Q. That was filed in the record?

20 A. Yes, ma'am.

21 Q. Was there anything that you took issue with in
22 his report?

23 A. It falls along the same lines for his
24 interpretations because his interpretations, he's assuming
25 in his report that Mr. Mosley is malingering, but the data

1 suggests he's functioning very low. And also, his
2 adaptive assessment says there's no deficits whatsoever.
3 However he completed that, I'm not certain.

4 You know, there's one of the WHODAS that says no
5 deficits whatsoever completed for Mr. Mosley, then there's
6 another one completed by the parents who go into, I would
7 say, moderate to severe deficits for his adaptive
8 functioning. So there's, like, a big discrepancy.

9 And there's also a line-by-line thing that
10 Dr. Railey references as far as what he can do and kind of
11 itemizes there's no problems in those areas in terms of
12 social communication, higher-level cognitive processing,
13 and to say that he can actually do those things, which I'm
14 not sure what that was based on.

15 Q. Do we even know who bubbled out that answer
16 sheet with the raw data in WHODAS 2.0?

17 A. I'm assuming -- and I don't know for sure, but
18 I'm assuming the parents completed the one that was dated,
19 I believe, the 25th of May. I've got one that is dated
20 the 20th of May, time taken 1 minute, 24 seconds to
21 complete. This says the client was Thomas Mosley, the
22 assessor was Michael Railey. And so it goes into
23 cognition, overall disability, mobility, self-care,
24 getting along, life activities and participation. And the
25 descriptor says no deficits.

1 Q. But we don't know who filled that sheet out?

2 A. I'm not certain, no. But just as an example,
3 and this is important, and I'm only going to share because
4 I think it's important to note the flesh of the domain.
5 Like, cognitive domain says, The client's cognitive
6 functioning is within the nonrange.

7 Descriptive none indicates the client
8 experiences few or no significant difficulties in
9 cognition and communication. They demonstrate typical
10 cognitive and communication abilities, including
11 understanding instructions, processing information,
12 engaging in problem-solving activities effectively. Their
13 cognition enables them to comprehend information, make
14 decisions, and communicate effectively in various
15 contexts, fostering independence and participation in
16 daily activities of professional endeavors.

17 So it's a lot. And it was completed in one
18 minute, and I just wasn't certain how there were no
19 deficits when I compare and contrast with the academic
20 records that no deficits in communication and speech and
21 language and social interaction.

22 Q. Does Dr. Railey note in his report that he
23 received the same educational records that you got?

24 A. Let me double-check.

25 Q. Dr. McClain, if you don't know, that's all

1 right.

2 A. I'm not certain, but it was my understanding he
3 was provided with the records, but I wanted to make sure
4 and look at his report. I will find it and look.

5 Q. All right. While you do that, I'm going to
6 check with my cocounsel and see if I have any additional
7 questions.

8 A. Let's see here.

9 THE WITNESS: Your Honor, I was just going to
10 reference the document reviewed on page 3 of 11 on
11 Dr. Railey's report, records reviewed, with reference
12 to the educational records said the defendant's
13 limited educational records. So I don't know if it
14 was the full packet or...

15 MS. RUSSELL: Excellent. Dr. McClain, I don't
16 have any further questions for you. Thank you so
17 much.

18 THE WITNESS: Thank you. Sure.

19 THE COURT: All right. Now is a good time for a
20 ten-minute comfort break. I'll see you back in 10
21 minutes.

22 (Break taken.)

23 THE COURT: We are back in session.

24 All right. Ms. Sullivan, whenever you are
25 ready.

1 MS. SULLIVAN: Thank you, Your Honor.

2 CROSS-EXAMINATION

3 BY MS. SULLIVAN:

4 Q. Good afternoon, Dr. McClain.

5 A. Good afternoon, Ms. Sullivan.

6 Q. That was about four hours of testimony. I have
7 many notes. I'm going to try to stay topic by topic and
8 not jump around.

9 A. Yes, ma'am.

10 Q. But if at some point you're unclear of what
11 we're talking about, please just stop me and I will
12 clarify. Okay?

13 A. Thank you. I appreciate it.

14 Q. Where I'd like to begin is talking about the
15 school records that we went over a little bit earlier this
16 morning.

17 A. Yes, ma'am.

18 Q. Do you still have your packet with your exhibit
19 tab --

20 A. I do.

21 Q. Okay. I'm going to try to reference it by
22 exhibit and the page number so that we're all on the same
23 thing. But again, if at some point you're not clear of
24 where I am, please just stop me.

25 A. Yes, ma'am.

1 THE BAILIFF: Sit down. Sit down.

2 MS. SEIFER-SMITH: This is --

3 THE BAILIFF: Sit down.

4 (Defendant was escorted out of the courtroom.)

5 THE COURT: Okay. So we're going to take a
6 break until a deputy can tell me what happened. My
7 attention was on Dr. McClain and Ms. Sullivan.
8 There's now probably 10 deputies in the courtroom.
9 We all probably need to clear out, so if there's an
10 issue. Then if you all need to talk to your client,
11 you can.

12 Let's take 10 minutes, please.

13 (Break taken.)

14 THE COURT: Okay. So who -- where is the deputy
15 that was in here?

16 THE BAILIFF: I'll find him, Your Honor.

17 THE COURT: I want to just make a record.

18 MS. SEIFER-SMITH: Well, I'm happy to make a
19 record.

20 THE COURT: I am going to let you say whatever
21 you want. Let me talk to him first, and then I'll
22 let you respond with anything that you want to say.

23 MS. SEIFER-SMITH: Okay.

24 THE COURT: All right. Deputy, just put your
25 name on the record, please.

1 THE BAILIFF: Deputy Shaun Atkinson.

2 THE COURT: So when we came back from the break,
3 I was looking at Ms. Sullivan and Dr. McClain, so I
4 didn't really know what was going on over at defense
5 table.

6 Just so we're clear for the record, Mr. Mosley
7 is not in the room right now. I just want to make a
8 record as to what happened and get an idea from the
9 lawyers how they want to proceed.

10 So what happened at the table?

11 THE BAILIFF: Yes, ma'am. The defendant is
12 supposed to be sitting up underneath the table, slid
13 all the way in in the chair. He refused to slide his
14 seat forward. When the deputy tried to slide the
15 seat forward for him, he stood up and backed up
16 against the deputy. At that time, he was placed in
17 handcuffs and taken out of the room.

18 THE COURT: Did he say anything?

19 THE BAILIFF: He did not say anything. I asked
20 him if he wanted to be a further part of the hearing,
21 and he said he did not. Then we put him in the --

22 THE COURT: I'm talking about when he was over
23 there.

24 THE BAILIFF: Over there? No, he didn't say
25 anything, just was not cooperative.

1 THE COURT: Okay. All right.

2 Did you want to say something?

3 MS. SEIFER-SMITH: Yes. I would like to say a
4 few things. Julia Seifer-Smith, Assistant Public
5 Defender, for the record.

6 I've been sitting at the counsel table with
7 Mr. Mosley all day today. As I think most of the
8 people in this room understand, we're here for a
9 competency hearing. We're litigating the question of
10 whether or not Mr. Mosley understands a great many
11 things regarding the proceedings just generally with
12 respect to trial, and one of those issues is whether
13 or not he has the capacity to manifest appropriate
14 courtroom behavior.

15 He has been here for hours and hours today, and
16 he has steadily declined. I have watched him
17 decline. I have been taking notes about his physical
18 behavior in Court, putting his head down, leaning
19 back. I think that Your Honor saw him leaning back
20 in his chair at some point this afternoon.

21 Specifically with regards to his behavior this
22 afternoon, it has definitely devolved after lunch.
23 Mr. Mosley was spoken to before we came in, while we
24 were on break, about the need to be entirely under
25 the -- under counsel table in terms of his legs. He

1 ired that it's uncomfortable, it's really tight. We
2 talked about it. He did not necessarily want to do
3 it. There was a compromise that he was allowed to
4 have his chair a couple inches out.

5 He has been fidgeting. He has looked as though
6 he is physically uncomfortable all afternoon. And I
7 don't believe that he moved particularly much in the
8 chair, but that the deputy did get up, shoved --
9 started to shove the chair underneath counsel table
10 and that is when things went haywire.

11 I attempted to explain earlier to the deputies
12 that I did not do -- I will admit, I did not do it
13 at -- in a very protracted way, that the reason that
14 we're here is because of Mr. Mosley's profound
15 deficits and his inability to understand things.

16 And so I think that if I was given more time to
17 explain to all the courtroom personnel what the
18 specific problems are regarding Mr. Mosley, perhaps
19 we would be in a different situation.

20 However, what I've observed is that Mr. Mosley
21 has had a really hard time this afternoon. I do not
22 think that he understands much of the proceedings,
23 except that it's making him very physically and
24 emotionally uncomfortable. We've attempted to go
25 into the back to speak with him. I think that it is

1 essential that he is a part of every proceeding in
2 this case. It is a death penalty case.

3 THE COURT: I agree.

4 MS. SEIFER-SMITH: I figured that Your Honor
5 would. And what we would like to do is to have a bit
6 more time to speak with him. I know that it's been a
7 very long day for everybody, but if we could have
8 some more time with him, and we would like
9 Dr. McClain, who has seen him on at least six
10 occasions and has obviously seen him all day in court
11 today, to speak with him in the back as well.

12 THE COURT: Okay. Ms. Sullivan, let's start
13 with do you have any objection to Dr. McClain
14 speaking with him this afternoon?

15 MS. SULLIVAN: No.

16 THE COURT: Okay. Second question is, is there
17 anything related to what happened this afternoon that
18 you need to put on the record?

19 MS. SULLIVAN: The only thing I would like to --
20 obviously, I was focused for what --

21 THE COURT: Right.

22 MS. SULLIVAN: -- just happened so I probably
23 saw what Dr. McClain and Your Honor saw in regards to
24 that. What I did observe, just to add to what
25 Ms. Seifer-Smith said, right after lunch, I was

1 sitting here, and I heard the deputy telling him to
2 move his chair in. They were talking back and forth
3 about that, and I did hear Mr. Mosley say to the
4 deputy, "You got a problem, take me out of the
5 courtroom."

6 That's the only added thing that I observed
7 regarding the chair issue and then, obviously, what
8 just occurred after.

9 THE COURT: Okay. So I am comfortable with
10 ending for the day if you don't think Mr. Mosley is
11 capable of coming back out. I'm not going to make
12 that decision. I'm going to allow you to tell me
13 what you want to do.

14 But while we're all here before you give me an
15 answer, Dr. McClain?

16 THE WITNESS: Yes, Your Honor.

17 THE COURT: What's your schedule like for the
18 rest of the week?

19 THE WITNESS: So, Your Honor, I'm not available
20 Thursday or Friday at all.

21 THE COURT: Okay.

22 THE WITNESS: I could possibly be available
23 tomorrow morning.

24 THE COURT: Okay. All right. How do you want
25 to proceed? Do you need a few minutes to talk to

1 Mr. Mosley? Do you want Dr. --

2 MS. SEIFER-SMITH: Yes.

3 THE COURT: -- McClain to go back with you now?

4 MS. SEIFER-SMITH: Yes. Both of those things.

5 THE COURT: Both of those things?

6 MS. SEIFER-SMITH: Yes.

7 THE WITNESS: Do you want to try and proceed for
8 the rest of the day?

9 MS. SEIFER-SMITH: I think we would like to.

10 THE COURT: Okay.

11 MS. SEIFER-SMITH: We have our next witness here
12 and available. We would like to proceed with regards
13 to the witness on Zoom. Obviously, she's on Zoom.
14 I'm going to find out about her availability.

15 THE COURT: Okay.

16 MS. SEIFER-SMITH: It doesn't matter when she
17 testifies in terms of order.

18 THE COURT: I'll stay in the back until you all
19 are ready to come back in. Okay?

20 MS. SEIFER-SMITH: Thank you.

21 THE COURT: All right.

22 MS. SEIFER-SMITH: And I just wanted to say too,
23 I agree with what Ms. Sullivan put on record. That
24 was something that occurred earlier.

25 THE COURT: All right. Thank you.

1 Okay. I will be back whenever you all ask for
2 me.

3 (Break taken.)

4 THE COURT: You can have a seat. Thank you.

5 Are we bringing Mr. Mosley back out?

6 MS. SEIFER-SMITH: I don't think that's a good
7 idea right now.

8 THE COURT: Okay. So here are my thoughts.
9 We're going to call it a day.

10 MS. SEIFER-SMITH: Okay.

11 THE COURT: I want to have a conversation now
12 about what if this happens tomorrow morning. It is
13 my expectation that I will require him to be here in
14 the morning. The options are he comes in and we try
15 it again. Maybe everything will be fine. If that
16 doesn't seem like it's going to work, you all can
17 tell me how it's going in the morning. If we need to
18 get a small table to put next to yours so he can sit
19 there and have a little more leg room, I'm fine with
20 that. We've done that on larger trials or with more
21 attorneys. We get a longer defense table. Perhaps
22 that would make him more comfortable.

23 If there's going to be a behavior issue -- there
24 really hasn't been a behavior disruption issue today
25 other than that last incident. If he needs to sit

1 next door and watch on TV, it's not my preference
2 because y'all aren't here to talk to him and -- but
3 I'm also not going to let him decide whether or not
4 we're having proceedings, right?

5 I kind of need to do this. We have many doctors
6 lined up with very specific time frames that we're
7 operating under, and it might be actually easier for
8 him to sit next door.

9 Again, I'm open to any suggestions you all have.
10 Those are the two best ideas that I've had so far
11 about if he doesn't want to come in tomorrow and
12 can't sit quietly. Those are my two options.

13 MS. SEIFER-SMITH: We certainly appreciate any
14 and all accommodations. Another suggestion that I
15 would have is perhaps more frequent breaks.

16 THE COURT: Sure. I'm always fine for a break.

17 MS. SEIFER-SMITH: I think we probably all are.

18 THE COURT: Yes.

19 MS. SEIFER-SMITH: We can speak to him.

20 Go ahead.

21 MS. RUSSELL: The other thing, Your Honor, that
22 we learned during the break and that we also learned
23 from Dr. McClain's testimony is that Mr. Mosley has
24 not been taking his medication. We learned on the
25 break that he hasn't been taking his thyroid

1 medication.

2 So there is an additional actual medical reason
3 why this may be occurring at this point --

4 THE COURT: Okay.

5 MS. RUSSELL: -- and that may have changed some
6 of the things that we're dealing with.

7 THE COURT: I understand. Okay. So those are
8 just some things to think about. If anyone has any
9 additional ideas, I'm open to suggestions on how we
10 can proceed. But he needs to be present in some way
11 or fashion.

12 Taking more breaks is fine. We'll do that
13 anyway. Getting a second table if you want it, I'll
14 order it. If he needs to sit next door, we'll do
15 that. Anything else that you can think of in the
16 overnight, let me know in the morning and we'll
17 address it.

18 Before I forget, Exhibit 4 has 10 tabs. I do
19 not want to take this back in chambers with me.

20 Can you reproduce this for me so I can have a
21 copy?

22 MS. RUSSELL: Sure. Absolutely.

23 THE COURT: It doesn't need to be tomorrow, but
24 at some point, I need to write an order, but I don't
25 like taking evidence back to my office. So if you

1 have a copy for me at some point, that would be
2 great.

3 Okay. Anything else we need to talk about?

4 MS. SULLIVAN: No, Your Honor.

5 THE COURT: Okay. I'll see you all bright and
6 early at 8:30.

7 MS. SULLIVAN: Thank you, Your Honor.

8 (Proceedings were concluded for 07/08/25.)

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

CERTIFICATE OF REPORTER

STATE OF FLORIDA)

COUNTY OF PINELLAS)

I, CHARLENE M. EANNEL, RPR, Stenographic Court Reporter, certify that I was authorized to and did stenographically report the foregoing proceedings and that the transcript is a true record of my stenographic notes.

I further certify that I am not a relative, employee, attorney, or counsel of any of the parties, nor am I a relative or employee of any of the parties' attorney or counsel connected with the action, nor am I financially interested in the action.

DATED this 28th day of August, 2025.

Charlene M. Eannel, RPR

CHARLENE M. EANNEL, RPR